

1 IN THE SUPERIOR COURT OF THE DISTRICT OF COLUMBIA
Civil Division

2

Peter W. Poe,)

3)

Plaintiff,) Civil Action No.:

4) 00-0000000

vs.)

5) VIDEOTAPE DEPOSITION

John H. Doe,) OF

6 D.P.M., et al.,) KEVIN M. SMITH, D.P.M.

)

7 Defendants.)

)

8 -----)

9 THE DEPOSITION OF KEVIN M. SMITH,
D.P.M., taken before Sandra K. Glick, Certified
10 Shorthand Reporter and Notary Public of the
State of Iowa, commencing at 12:55 p.m., May 3,
11 2005, at Suite 101, 218 6th Avenue, Des Moines,
Iowa.

12

A P P E A R A N C E S

13

Plaintiff by: JEFFREY A. SHANE

14 Attorney at Law

SHULMAN ROGERS GANDAL

15 PORDY & ECKER, P.A.

Third Floor

16 11921 Rockville Pike

Rockville, MD 20852-2743

17 (301) 230-5209

18 Defendants by: RICHARD W. BOONE, SR.

Attorney at Law

19 BOONE & ASSOCIATES, P.C.
Suite D
20 10195 Main Street
Fairfax, VA 22031-3415

21 Videographer: David Seuferer

22

23

24

25 Reported by: Sandra K. Glick, C.S.R.

1 I N D E X

2 Examination by: Page

3 Mr. Shane 4,60

4 Mr. Boone 25

5

6

7

8

9

10 Exhibit Marked

11 Plaintiff's Exhibit 1 3

12 Defendants' Exhibit 1 59

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (Plaintiff's Exhibit 1 was marked
2 for identification by the reporter.)

3 THE VIDEOGRAPHER: Today's date
4 is May 3rd, 2005. We are in Des Moines, Iowa,
5 at the Quick Copy Center, 218 6th Avenue. The
6 time is approximately 12:55 p.m.

7 This is the deposition of Kevin
8 M. Smith before the Superior Court of the
9 District of Columbia, Civil Division, in the
10 matter of Peter W. Poe versus John H.
11 Doe D.P.M., et al., Law Number
12 03-0009580. The deposition is taken by the
13 plaintiff.

14 The court reporter is Sandra
15 Glick representing Huney-Vaughn Court Reporters,
16 Suite 307, 604 Locust Street. My name is David
17 Seuferer, the videographer, representing Video
18 Specialties.

19 Will counsel introduce themselves

20 beginning on my left.

21 MR. SHANE: Yes. Jeff Shane on

22 behalf of plaintiff Peter Poe.

23 MR. BOONE: Richard W. Boone, Sr.,

24 on behalf of defendant John H. Doe,

25 D.P.M, and Dr. Doe's professional

1 corporation.

2 THE VIDEOGRAPHER: Will the court

3 reporter administer the oath to the witness.

4 KEVIN MICHAEL SMITH, D.P.M.,

5 called as a witness, having been first duly

6 sworn, testified as follows:

7 DIRECT EXAMINATION

8 BY MR. SHANE:

9 Q. Good afternoon, Doctor.

10 A. Good afternoon.

11 Q. Would you please state your full name,

12 spell your last name, please.

13 A. Kevin Michael Smith. S-m-i-t-h.

14 Q. Thank you. And your current business

15 address, Dr. Smith?

16 A. 3200 Grand Avenue, Des Moines, Iowa.

17 Q. Okay. And can you please tell the

18 Court, what is the nature of your practice at

19 that business address?

20 A. I am an associate dean for clinical

21 affairs, as well as associate professor of

22 podiatric medicine at Des Moines University, and

23 I also maintain a clinical practice at that

24 location.

25 Q. Okay. Now, Doctor, would you,

1 beginning with your professional school, tell
2 the Court and jury please about your training in
3 podiatric medicine?

4 A. Received my degree in podiatric
5 medicine from Des Moines University, which was
6 formerly known as the University of Osteopathic
7 Medicine and Health Sciences. Following
8 completion of my four-year degree in 1995, I
9 completed a surgical residency at Passaic Beth
10 Israel Hospital, and then was in private
11 practice in New Jersey prior to coming to Iowa.

12 Q. And following your formal education and
13 your residency program, did you take any further
14 training or courses related to your field of
15 practice?

16 A. There are a few courses that I have
17 taken. I took an endoscopic plantar fasciotomy
18 course, as well as an ankle arthroscopy course,

19 and -- I don't know what version of CV you have.

20 Last summer I completed a two-day external

21 fixation course.

22 Q. Okay. Now, you said you came back

23 here. When did you come back here to

24 Des Moines?

25 A. In 1998.

1 Q. Okay. And what -- what are the current
2 positions which you hold as an educator in
3 podiatric medicine and surgery?

4 A. Associate professor of podiatric
5 medicine, as well as associate dean for clinical
6 affairs.

7 Q. And have you held other positions
8 teaching students or residents in podiatric
9 medicine and surgery?

10 A. Yes. I was a residency director at
11 Des Moines General Hospital, which is now closed
12 and is actually called Mercy Capitol Hospital,
13 and I was also a residency director at
14 Broadlawns Medical Center, and that's a
15 three-year surgical program.

16 Q. Okay. And have you published any
17 papers or articles in your field of practice?

18 A. Yes.

19 Q. Have you taken the board certification

20 exams in your specialty area?

21 A. Yes, I have.

22 Q. And did you successfully pass them?

23 A. Yes.

24 Q. And you are a member of any

25 professional organizations related to your field

1 of practice?

2 A. A member of the American College of
3 Foot and Ankle Surgeons, as well as the state
4 and national society, the Iowa Podiatric Medical
5 Association and the American Podiatric Medical
6 Association.

7 Q. Okay. And, Doctor, you have been asked
8 to comment upon the care rendered by
9 Dr. Doe. Are you familiar with the
10 standard of care required of a board-certified
11 specialist in podiatric medicine and surgery
12 such as Dr. Doe?

13 A. Yes.

14 Q. Okay. Is that a standard that just
15 applies to where he practices?

16 A. The standard of care is a national
17 standard for podiatric medicine in the nation.

18 Q. Okay. Subject to any questions which

19 Mr. Boone may have for you, I would now offer
20 you to the Court to testify as an expert in
21 podiatric medicine and surgery.

22 MR. BOONE: I have no questions.

23 Q. Doctor, let me ask if you would
24 identify what has been marked as Plaintiff's 1
25 for this deposition.

1 A. That is a copy of my CV or curriculum
2 vitae.

3 Q. Relatively current and up to date?

4 A. Yeah. The only thing missing would be
5 that external fixation course that I attended
6 last summer.

7 Q. Okay. Thank you, Doctor.

8 Now, have you had the opportunity
9 to review some materials related to
10 Dr. Doe's care of Peter Poe?

11 A. Yes.

12 Q. Okay. Can you tell us please what
13 you've had the opportunity to look at?

14 A. I've had the opportunity to look at
15 Dr. Doe's medical records, as well as
16 records from Sports Therapy, a Dr. Green, a
17 Begun Physical Therapy, a Dr. Sauer, Dr. Klien
18 with a "K," Dr. Kratz, Chesapeake Orthopaedics

19 Associates -- Associates, and some -- what
20 appears to be some psychologist -- some medical
21 records from some psychologist, as well as
22 multiple depositions from plaintiff and defense
23 experts and treating physicians.

24 Q. Okay. Did you also have the
25 opportunity more recently to look at some

1 records from Johns Hopkins?

2 A. Yes.

3 Q. Now, Doctor, I'm going to ask you if
4 you have formulated some opinions in this case,
5 and would you only offer those opinions which
6 you can offer to a reasonable degree of medical
7 certainty in your field of podiatry or a
8 reasonable degree of podiatric medical
9 certainty? Would that be -- can you do that?

10 A. Yes.

11 Q. Will you let me know if you can't?

12 A. Yes.

13 Q. Okay. Doctor, have you formed an
14 opinion, again with that reasonable degree of
15 medical certainty, as to whether or not the care
16 which Dr. Doe gave to Peter Poe
17 met with the appropriate standard of practice?

18 A. Yes.

19 MR. BOONE: Objection to form.

20 Q. And what is that opinion?

21 A. The -- the opinion that I have come to

22 was that Dr. Doe did not meet the

23 standard of care in the treatment provided to

24 Mr. Poe.

25 Q. And again to that reasonable degree of

1 certainty, have you formed an opinion as to
2 whether the failure to meet the standard of care
3 which you have identified caused injury or harm
4 to Mr. Poe?

5 A. Yes.

6 Q. And what is that opinion?

7 A. To a reasonable degree of medical
8 certainty my opinion is that the deviation from
9 standard of care caused the current complaint of
10 Mr. Poe.

11 Q. Okay. Can you explain to the Court and
12 the jury the basis for your opinion?

13 A. The basis for my opinion is that the
14 medical records that I reviewed, Mr. Doe
15 -- or Dr. Doe failed to exhaust
16 conservative treatment measures prior to
17 initiating the surgical care.

18 Q. Okay. And can you explain what is

19 conservative treatment? What was

20 Mr. Poe's problem? What did he see

21 Dr. Doe for?

22 A. His initial complaint was complaining

23 of pain in both -- pain and numbness in both

24 feet.

25 Q. Okay. And did Dr. Doe

1 ultimately reach a conclusion as to what the --
2 or at least an opinion as to what the cause of
3 Mr. Poe's symptoms were?

4 A. Yes. Intermetatarsal neuroma on both
5 feet.

6 Q. Okay. Does that have another more
7 common name?

8 A. Morton's neuroma would be another name
9 for it, although Morton's neuroma only describes
10 one specific location for an enlarged nerve or
11 painful inflamed nerve.

12 Q. Okay. What's an intermetatarsal nerve?

13 A. Intermetatarsal nerve is between the
14 bones and the foot that lead to all the toes.
15 There are what we call intermetatarsal nerves or
16 common digital nerves that will actually then
17 branch off and send nerves into each toe on both
18 feet.

19 Q. Okay. And -- and what happens? What
20 makes it a problem?

21 A. What makes it a problem is that --
22 there are quite a few different theories as to
23 how it can occur. Sometimes it can be what's
24 called acute trauma from an injury or repet --
25 repetitive trauma that can actually cause

1 inflammation of the nerve and enlargement of the
2 nerve and scarring of the nerve, and then when
3 that nerve becomes large, it's impinged between
4 the bones and the ligaments in that area, and
5 that's what creates the symptoms of pain and
6 burning.

7 Q. Okay. And you said he failed to
8 exhaust conservative treatment?

9 A. Yes.

10 Q. What -- what is the prime treatment?
11 How does one treat intermetatarsal neuroma?

12 A. General answer would be conservative
13 treatment, rendering conservative treatment, and
14 there are multiple different forms of
15 conservative treatment, and once those are
16 exhausted, then initiating surgical treatment.

17 Q. Okay. How does one initiate
18 conservative treatment? What -- what's the

19 appropriate way to do that?

20 A. The appropriate way to initiate

21 conservative treatment is first when you -- when

22 the patient first presents to your office and

23 you examine the patient and record a history, is

24 finding out what things that patient has done

25 already to potentially alleviate their symptoms.

1 Q. Okay. Was that done by Dr. Doe

2 in this case?

3 A. I don't specifically remember it being

4 documented in the notes, but I do remember that

5 it was present in Dr. Doe's deposition

6 and Dr. -- or Mr. Poe's deposition where

7 that was discussed.

8 Q. Okay. What next? Is there an order or

9 is there a way you approach this problem?

10 A. The -- the general order is to attempt

11 noninvasive conservative therapies first.

12 Noninvasive tends to equate to nonpainful. For

13 example, medications. Usually oral medications

14 such as anti-inflammatories are attempted as a

15 conservative treatment modality say prior to

16 receiving an injection. Most patients will, at

17 least definitely in my practice or in every

18 physician's practice, would rather have a pill

19 than a shot.

20 Q. Okay. So one is pills.

21 A. Uh-huh.

22 Q. What else?

23 A. Other methods would be shoe gear

24 modifications; changing to wider shoes.

25 Decreasing physical activity, and that can

1 either be on the patient's behalf or a
2 recommendation from the physician. Physical
3 therapy modalities. Some physicians will
4 actually conduct physical therapy modalities in
5 their office. Some physicians will consult a
6 physical therapist. Inserts or orthotics.
7 Strapping or taping the foot, which is usually
8 done before orthotics or inserts. Oral
9 cortisone or prednisone. Cortisone injections
10 and alcohol injections. Would be a -- that
11 would be a pretty complete list of conservative
12 treatment modalities.

13 Q. Okay. And what success would one
14 anticipate in successfully alleviating the
15 symptoms with I think you've listed about nine
16 different possibilities in terms of avoiding, if
17 possible, surgical intervention?

18 MR. BOONE: Objection to the

19 form.

20 A. Employing the conservative treatment

21 modalities that -- that I've listed, one would

22 expect to receive relief or have a patient

23 receive relief of symptoms greater than 80

24 percent of the time.

25 Q. Okay. Now what is your understanding

1 of what Dr. Doe did for Mr. Poe?

2 A. After reviewing the medical records,
3 there was an orthotic; that the patient was
4 casted for an insert or orthotic, and that was
5 dispensed. And the patient had steroid
6 injections on both feet.

7 Q. Anything else?

8 A. As far as treatment rendered by
9 Dr. Doe, I think that's what was in the
10 medical record.

11 Q. Okay. And did Dr. Doe take --
12 did Mr. Poe ultimately come to surgery
13 by Dr. Doe?

14 A. Excuse me? Can you repeat the
15 question?

16 Q. Yeah. Did Mr. Poe ultimately
17 come to surgery by Dr. Doe?

18 A. Yes.

19 Q. Okay. And what is your understanding
20 of the outcome of that surgery?

21 A. It's my understanding that since the
22 surgery Mr. Poe has continued to have
23 pain. From the most recent records that I've
24 reviewed, he has been diagnosed with complex
25 regional pain syndrome and had a spinal cord

1 stimulator inserted.

2 Q. Okay. Would you -- can you explain for
3 the benefit of the -- the Court and the jury
4 what is complex regional pain syndrome; what's a
5 spinal cord stimulator?

6 MR. BOONE: I'm going to object
7 to any opinions from the doctor about complex
8 regional pain syndrome. In his deposition he
9 indicated that he had not himself made such
10 diagnosis or reached such an opinion; that he
11 was merely repeating what other physicians had
12 said.

13 Q. Okay. And let --

14 MR. BOONE: And unless he's got
15 his own opinion independently derived, I don't
16 think he's qualified to say so.

17 Q. Well, let me ask you, Doctor --

18 MR. BOONE: And we are surprised

19 by that if you're changing his testimony at this

20 point in time.

21 Q. Let me ask you this, Doctor.

22 Are you familiar with the entity

23 called complex regional pain syndrome as an

24 entity?

25 MR. BOONE: Please note my

1 continuing objection to this series of
2 questions.

3 A. Yes.

4 Q. Okay. And without -- was there any
5 basis in the records which you have reviewed,
6 not independently but from other sources, of a
7 finding that -- that other physicians have
8 diagnosed Mr. Poe with complex regional
9 pain syndrome?

10 MR. BOONE: Same objection. Plus
11 it's also hearsay in the context in which the
12 question has been asked.

13 MR. SHANE: Well, we can respond
14 to it in Court, but I believe the doctor can
15 rely on information that's not necessarily in
16 evidence.

17 Q. But go ahead, Doctor.

18 MR. BOONE: He can rely upon the

19 information, Counsel, but he can't simply parrot

20 another expert's opinions.

21 Q. Okay. Go ahead, Doctor.

22 A. There was another reference to that

23 entity in another deposition.

24 Q. Okay. And was it present in any

25 medical records you reviewed?

1 A. Yes, it was also in that same
2 physician's medical records.

3 Q. Okay. And what do you understand the
4 most current treatment has been for
5 Mr. Poe?

6 A. The most current treatment that I've
7 seen in review of the records that were sent to
8 me were an insertion of a spinal cord
9 stimulator.

10 Q. Okay.

11 MR. BOONE: Objection to any
12 questions to this witness about spinal cord
13 stimulator. There's been no proffer to us that
14 he was going to comment in any way on that
15 aspect of Mr. Poe's case.

16 Q. The records you reviewed are relatively
17 current records provided to you. From what
18 institution?

19 MR. BOONE: Please note my
20 continuing objection to any questions about the
21 spinal stimulator for the -- on the basis
22 stated.

23 A. They were from Johns Hopkins
24 neurosurgery department.

25 Q. Okay. Now, can you explain to the

1 Court and the jury why you feel that
2 Dr. Doe's treatments that you have
3 mentioned did not meet the standard of care and
4 what would have been required of him to meet the
5 standard of care?

6 A. Dr. Doe did not exhaust
7 conservative treatment measures prior to
8 performing surgery on Mr. Poe.

9 Q. Okay. What -- what should he have
10 done? What would have met the standard of care?

11 A. What would have met the standard of
12 care is that although the patient did have
13 inserts or orthotics, initially the patient,
14 according to Dr. Doe's records, has
15 stated that he did receive some relief initially
16 from the insert. The standard of care would
17 have dictated continuing with that treatment
18 modality and continue with the use of the

19 insert.

20 Patient did have corticosteroid

21 injections or cortisone injections. Patient did

22 have relief after that first injection. And

23 also additional conservative treatment measures

24 should have also been employed prior to

25 initiating surgical treatment.

1 Q. Okay. Is it your opinion that with
2 that again reasonable degree of medical
3 certainty that had those conservative methods
4 been employed, Mr. Poe would not have
5 required surgery?

6 MR. BOONE: Objection to the form
7 of the question.

8 A. With a reasonable degree -- degree of
9 medical certainty there is a greater than 80
10 percent chance that the patient would have had
11 relief of symptoms with conservative treatment.

12 MR. BOONE: Move to strike as not
13 responsive to the question asked.

14 Q. Is it your opinion, Doctor, that the --
15 what -- what is the effectiveness of surgery?
16 What -- how -- how effective is surgery in most
17 individual's hands when performed for this
18 entity?

19 MR. BOONE: Objection to the form

20 of the question.

21 A. Define -- I mean, you asked me what --

22 what is -- what is it and how effective it is.

23 So are you asking me both --

24 Q. Yes, please.

25 A. -- questions?

1 Q. Go ahead.

2 MR. BOONE: Object to the form of
3 the question again.

4 A. The surgery for intermetatarsal neuroma
5 which was done in this case is to excise the --
6 the neuroma or the scarred nerve. How effective
7 it is is it's based on literature, approximately
8 80 percent effective in relieving the patient's
9 symptoms.

10 Q. Okay. For those patients who are not
11 successfully treated by surgery, what's -- what
12 happens to them?

13 A. They continue to have pain or undergo
14 additional treatments, depending on what may be
15 causing their symptoms after the first surgery.

16 Q. Okay. Where in -- in the practice of
17 reasonable podiatric medical and surgical
18 practice does surgery fall in the order of the

19 things which you have named or listed as -- as

20 treatments?

21 A. Surgery would fall after conservative

22 treatment.

23 Q. Okay. And you said that the -- the

24 probability that Mr. Poe would have come

25 to this surgical intervention if all of the

1 appropriate -- what you have expressed as the
2 appropriate conservative modalities were used
3 was what percent of probability of success?

4 MR. BOONE: Objection to the form
5 of the question. You are also trying very hard
6 to lead him.

7 Q. I just want to be sure that -- that it
8 is understood what the probability of success is
9 for nonsurgical conservative management.

10 MR. BOONE: Objection to the form
11 of the question.

12 A. The probability of relieving a
13 patient's symptoms with conservative treatment
14 measures would be 80 percent or higher.

15 Q. Now you mentioned alcohol injections.

16 A. Yes.

17 Q. What are alcohol injections? What do
18 they do?

19 A. Alcohol injections are a series of
20 three to seven injections of dehydrated alcohol,
21 4 percent by volume, into the area of the
22 neuroma. Actually just proximal or just behind
23 the -- the neuroma or the enlarged scarred
24 nerve, and alcohol injections -- alcohol
25 actually has an affinity for nerve tissue, and

1 it's designed to desiccate or shrivel up the
2 nerve.

3 Q. Okay. And what -- what's the -- what's
4 the result? What happens with them?

5 A. The result would be that if you
6 shriveled up the nerve, that you would alleviate
7 the patient's symptoms.

8 Q. Is the -- is the success -- success of
9 that treatment at all dependent upon the size of
10 the neuroma?

11 A. No.

12 Q. Why is that?

13 A. Because you're not injecting the
14 alcohol into the neuroma or at the neuroma. You
15 are injecting it proximal to the neuroma. So
16 for example if I use my hand, distal would be
17 the -- the end of the finger or the end of the
18 toe. Proximal would be farther back toward my

19 wrist or ankle. So you're injecting it on the
20 proximal side or the -- prior to the development
21 of the neuroma or enlarged scarred nerve.

22 Q. Okay. Now, when you talk about these,
23 are all of the procedures which you believe
24 should be performed prior to surgical
25 intervention, were all these available in the

1 standard of care at the time that Dr. Doe
2 was caring for Mr. Poe?

3 MR. BOONE: Objection to the form
4 of the question.

5 A. Yes, they were all available at the
6 time that Mr. Poe sought treatment from
7 Dr. Doe.

8 Q. Okay. And were they the standard of
9 care?

10 A. Yes, they were.

11 MR. BOONE: Objection to the form
12 of the question. Move to strike the response.

13 Q. How much experience have you had over
14 the course of your practice in the management of
15 patients with -- with interdigital neuroma?

16 A. I've treated conservatively and
17 surgically patients with intermetatarsal
18 neuroma.

19 Q. Okay. Do you teach the management of
20 these type of patients to the residents and
21 students in your educational program?

22 A. Yes, I do.

23 Q. And how -- how many residents do you
24 have in your program?

25 A. There are three.

1 Q. Per year?

2 A. Three total. We take one resident per
3 year. So we have a total of three residents in
4 the three-year program.

5 Q. Okay. And do these residents to your
6 knowledge go to various places throughout the
7 country?

8 A. Yes.

9 MR. SHANE: All right. Thank
10 you, Doctor.

11 CROSS-EXAMINATION

12 BY MR. BOONE:

13 Q. When did Mr. Poe first come to
14 see Dr. Doe?

15 A. July 31st of 2000.

16 Q. And what, if any, understanding do you
17 have of his presenting complaints on that
18 occasion?

19 A. From the medical records that I
20 reviewed, his presenting complaint was pain and
21 numbness in both feet.

22 Q. And what, if any, understanding do you
23 have about the duration of those symptoms prior
24 to his presentation for care from
25 Dr. Doe?

1 A. From the information that was provided
2 to me, this had been going on for a couple to a
3 few months, so two to three months prior to that
4 presentation.

5 Q. And what, if any, test did
6 Dr. Doe perform -- I'm sorry, strike that
7 question.

8 What, if any, possible sources of
9 the discomfort being experienced by
10 Mr. Poe should Dr. Doe have
11 considered on the occasion of Mr. Doe's
12 first visit?

13 MR. SHANE: Object to form. Go
14 ahead, Doctor.

15 A. Sources would be any repetitive type of
16 trauma that may be occurring. Foot type that
17 the patient may have that may contribute to
18 developing this condition.

19 Q. By that I mean were there any other
20 types of pathology which might have -- other
21 than a neuroma which might have produced the
22 same symptomology in Mr. Poe's feet?

23 A. Yes.

24 Q. And what were those?

25 A. What were those or what are they?

1 Q. What are they generally?

2 A. Compressed nerve more proximally, such

3 as a tarsal tunnel, would be one possibility.

4 There are other forms of neuropathy, such as

5 diabetic neuropathy can occur with patients with

6 diabetes that can cause burning in the balls of

7 both feet.

8 Q. Anything else?

9 A. There's a -- there are possible nerve

10 tumors that can occur that would reproduce

11 burning and numbness in -- in both feet,

12 although it would be extremely rare to have that

13 in both -- to have nerve tumors in both feet.

14 Compressed nerve at the level of the back.

15 Q. All right, sir. And of course there

16 were the neuromas; is that correct?

17 A. There's also neuromas that can also

18 cause those symptoms.

19 Q. What testing did Dr. Doe perform
20 as you understand it in an effort to determine
21 the source of Mr. Poe's discomfort?

22 A. There was ultrasound examination that
23 was performed.

24 Q. All right. And were there any tests
25 that Dr. Doe himself performed on the

1 occasion of the first visit that might have been
2 suspicious for the presence of interdigital
3 neuromas?

4 A. During the physical examination there
5 was a -- what's called a Mulder's sign, or some
6 people call it a Mulder's click.

7 Q. All right. And have you had occasion
8 to see the results of the ultrasound that was
9 performed on Mr. Poe's feet?

10 A. Yes, I have.

11 Q. And what, if anything, did that
12 ultrasound reveal to you as you understand it?

13 A. It revealed that there was enlarged
14 intermetatarsal nerves, and I can't give you the
15 exact diameter, but they were I think
16 approximately a centimeter in -- in diameter.

17 Q. All right. Would it refresh your
18 recollection if I suggested to you that on the

19 right foot there was one mass that was .76
20 millimeters in diameter and that there was
21 another one -- .76 centimeters in diameter, and
22 that there was another one that was 1.08
23 centimeters in diameter?

24 A. Yeah. I knew they were enlarged.

25 Q. All right. What is the normal size of

1 the interdigital nerve in the inner space

2 between the toes?

3 A. Approximately 2 to 3 millimeters.

4 Q. So these things were somewhere between

5 four to six times as large as you would expect

6 the structures in there and the nerve tissue to

7 be; is that correct?

8 A. I wouldn't say four to six times.

9 Q. Well, isn't 1.08 -- well, five and a

10 half times as large as the normal nerve?

11 A. Yeah, but then .7 divided by .3 would

12 only be a little over two and a half times.

13 Q. But they were a lot larger than the

14 normal nerve; is that correct?

15 MR. SHANE: Objection.

16 A. They were larger than the normal nerve,

17 yes.

18 Q. And on the left foot we know that --

19 would it refresh your recollection, rather, to

20 -- if I suggested that the mass was .97

21 centimeters in diameter?

22 A. Yes.

23 Q. All right. Now, we know from the

24 results of the subsequent surgery that was

25 actually done on Mr. Poe's feet exactly

1 what those lumps that were seen on sonography
2 were composed of, don't we?

3 A. Yes.

4 Q. And what, if any, understanding do you
5 have of the nature of the tissue that was
6 removed from Mr. Poe's right foot?

7 A. There was inflammatory nerve tissue
8 that was removed. I would have to -- to exactly
9 give you what the pathologist's description of
10 it was, I'd have to refer to the microscopic
11 portion of the pathology report.

12 Q. If I suggested to you that it was
13 fibroadipose tissue with prominent vessels with
14 intimal thickening, a focal organizing thrombus
15 and prominent nerve fibers consistent with a
16 neurofibroma, would that refresh your
17 recollection?

18 A. Yes.

19 Q. All right. A neurofibroma strictly
20 speaking is not a Morton's neuroma, is it?

21 A. Not specifically.

22 Q. All right, sir. And on the left foot,
23 would it refresh your recollection if I told you
24 that the tissue was perineural fibrosis
25 consistent with neuroma?

1 A. Yes.

2 Q. And perineural fibrosis means that
3 there was fibrotic tissue or scarring in the
4 nerve; is that correct?

5 A. Yes.

6 Q. And the intimal thickening on the right
7 foot means that there was a sheath of tissue
8 surrounding the nerve; is that correct?

9 A. Yes.

10 Q. All right. Now based upon -- what is
11 your understanding -- strike that question.

12 What is your understanding of
13 what Dr. Doe concluded was wrong with
14 Mr. Poe as the result of that son --
15 sonographic testing?

16 A. From the -- the medical records he --
17 from the results of the sonograph he concluded
18 that the patient had interdigital neuromas.

19 Q. Do you agree that that was the correct
20 diagnosis based upon the information that was
21 available to him?

22 A. Yes.

23 Q. All right. Now, what exactly is a
24 neuroma? Would you tell us again, please?

25 A. Neuroma is an enlarged intermetatarsal

1 nerve that will usually become scarred, or
2 another term for scarring would be fi --
3 fibrotic. There's inflammation involved. In
4 the literature there are numerous definitions of
5 neuroma, and one of them, some people have
6 described it as a tumor. It's not actually a
7 tumor, but there's also fibrosis that occurs of
8 the nerve.

9 Q. All right. And when you try to treat
10 the neuroma conservatively, would you agree with
11 me that what you're attempting to do is
12 alleviate the symptomology of that structure
13 that's there in the foot?

14 MR. SHANE: Object to form. Go
15 ahead.

16 A. No, I wouldn't agree with you on that.

17 Q. Well, what conservative treatments are
18 you aware of, other than the alcohol, I want to

19 deal with that separately, if you will, that
20 will do anything actually to eliminate or remove
21 the neuroma?

22 A. The conservative treatments -- it would
23 depend on how you define neuroma. If the nerve
24 is not scarred and it's just inflamed, then the
25 conservative treatments would alleviate the

1 symptoms by alleviating the inflammation. If
2 there is scarring, then the conservative
3 treatments, with the exception of the alcohol
4 injections, would not decrease the size of the
5 enlarged nerve but just aid in alleviating the
6 inflammation.

7 Q. Would you agree with -- I'm sorry,
8 Doctor, strike that question.

9 Did I understand you to say that
10 perhaps one of the first things one might
11 consider doing when confronted with a situation
12 like Mr. Poe's was the use of some sort
13 of oral medications to reduce the inflammation
14 and/or the swelling of these structures?

15 A. Yes.

16 Q. All right. Do you have an opinion that
17 you're able to state within a reasonable degree
18 of podiatric medical certainty as to what the

19 probability was that any NSAID type or
20 anti-inflammatory medication was going to have
21 any kind of a beneficial effect upon
22 neuroma-type structures of the size of those
23 that were contained in Mr. Poe's feet?
24 A. Anti-inflammatories would -- would be
25 less than 50 percent.

1 Q. All right. Now, and you also indicated
2 that there was a step that you could take that
3 involved the use of something called orthotics
4 or perhaps some strapping or some padding; is
5 that correct?

6 A. Correct.

7 Q. Would you agree with me, Doctor, that
8 what you're doing with the strapping and the
9 padding and the orthotics is trying to rebalance
10 the biomechanical forces in the feet to relieve
11 the stress upon those neuromas?

12 A. I wouldn't necessarily agree with that.

13 Q. Then what do you think that you're
14 doing? How would you describe the function of
15 orthotics in the -- or strapping and padding in
16 the treatment of interdigital neuroma?

17 A. It can rebalance the forces that may be
18 causing the neuroma, but other things that can

19 be done to an orthotic are things such as a
20 metatarsal pad that would actually just take
21 pressure off of the area that's causing pain in
22 the location of the neuroma. So balancing and
23 taking pressure off of I would describe as two
24 different things.

25 Q. But at any rate, what you're trying to

1 do is take the force away from the neuroma which
2 would then lessen the resulting inflammation and
3 pain? Can you --

4 A. Yes.

5 Q. You could agree with that part of it?

6 A. Yes.

7 Q. All right. Do you have an opinion that
8 you're able to state with a reasonable degree of
9 podiatric medical certainty as what the
10 probability was that Mr. Poe would have
11 obtained complete relief from these three
12 neuroma structures, like structures in his feet
13 through the continued use of an orthotic?

14 A. It would have been less than 50
15 percent.

16 Q. All right. Now, you talked about the
17 possible use of corticosteroids, either orally
18 or by injection, as being another step in the

19 treatment regimen; is that correct?

20 A. Correct.

21 Q. And what do corticosteroids do for the

22 neuromas in the feet of a patient such as

23 Mr. Poe?

24 A. They have the similar effect as oral

25 anti-inflammatory medications, to -- to decrease

1 inflammation and swelling around the nerve.

2 Q. And do you have an opinion that you're
3 able to state within a reasonable degree of
4 podiatric medical certainty as to whether or not
5 a reasonable course of corticosteroid medication
6 would have eliminated the problems that
7 Mr. Poe was experiencing with these three
8 structures in his feet?

9 A. What would you define as -- what would
10 be the definition of reasonable course?

11 Q. Whatever definition you choose to give
12 it. Whatever it is you think that Mr. --
13 Dr. Doe should have done for
14 Mr. Poe in this case.

15 A. I think that the chance of cortisone
16 injections would be less than 50 percent.

17 Q. All right. So up to this point do I
18 correctly understand you, Doctor, that even

19 though you believe that Mr. -- Dr. Doe
20 should have exhausted his conservative treatment
21 options, except for the alcohol we haven't
22 talked about yet, that none of the things we are
23 talking about had as much as a 50 percent chance
24 of giving Mr. Poe the relief that he
25 sought?

1 A. Yes, I would agree with that.

2 Q. All right. Now, let's talk about the
3 alcohol injections, Doctor. Were you trained in
4 1995 on the use of alcohol injections
5 specifically for the treatment of interdigital
6 neuromas?

7 A. I was trained on injecting
8 cyanocobalamin which has alcohol in it, and it's
9 the same procedure.

10 Q. All right. But you weren't trained to
11 use alcohol per se, at least not the 4 percent
12 anhydrous alcohol that's currently used; is that
13 correct?

14 A. There's no such thing as 4 percent
15 anhydrous.

16 Q. I thought that's what it was called. I
17 apologize. Well, whatever the preparation is
18 that you referred to that's 4 percent of

19 something by volume, you weren't trained to use

20 that specifically, is that a fair statement?

21 A. Not in 1995, no.

22 Q. All right. When did the use of that

23 kind of medication come into vogue?

24 A. In 1998 training -- it started to

25 become in vogue and training started to occur

1 with regards to our students and our residents
2 in using that method of conservative treatment.

3 Q. All right. When was Dr. Doe
4 trained in the treatment of interdigital
5 neuromas? You've read his deposition so you may
6 have that information.

7 A. He received his training in 1990.

8 Q. Now --

9 A. Or his residency training or
10 postgraduate training in 1990.

11 Q. So he -- it's fair to say then that he
12 would not have been trained in the use of that
13 medication when he was a resident; is that
14 correct?

15 A. Correct.

16 Q. All right. Is it your belief, Doctor,
17 that in the year 2000, specifically in the
18 months of July through say early December, that

19 the use of a series of alcohol injections prior
20 to attempting surgical removal was the standard
21 of care?

22 A. Yes.

23 Q. Well, how was Dr. Doe supposed
24 to know that?

25 A. How was he supposed to know it was

1 standard of care?

2 Q. That's right. What textbooks could he
3 have consulted that would have suggested to him
4 that this was a really good way to treat
5 neuromas before you do surgery or what journal
6 articles from journals that podiatrists commonly
7 use and respect could he have read that would
8 have told him that this procedure was a really
9 good way to treat interdigital neuromas before
10 you did surgery? What information was available
11 in the podiatric community from which a
12 reasonable and prudent podiatrist such as
13 Dr. Doe could have ascertained that the
14 standard of care required him to try a course of
15 this alcohol injection therapy before he did any
16 surgery?

17 A. That infor --

18 MR. SHANE: Object to form. Go

19 ahead.

20 A. Sorry. That information would have

21 been available in Journal of Foot & Ankle

22 Surgery, as well as podiatric medical

23 conferences and -- and lectures.

24 Q. Well, are you certain from your own

25 knowledge and experience that the podiatric

1 medical conferences in the mid-Atlantic region
2 where Dr. Doe happens to hang out were
3 teaching alcohol injection therapy in the time
4 frame of the year 2000?

5 MR. SHANE: Objection. That
6 doesn't set the standard for what they were
7 doing in his local community, but go ahead,
8 Doctor.

9 A. Yes, they were being -- there were
10 medical lectures or podiatric medical lectures
11 on alcohol injections in the mid-Atlantic
12 states.

13 Q. Tell me a couple of them that you
14 personally know about.

15 A. There would have been at the New York
16 Podiatric Conference.

17 Q. All right. And where else?

18 A. As far as a couple of them, I, I --

19 I only know of, if you're asking me

20 specifically, that one.

21 Q. All right. And how else might

22 Dr. Doe have known about this if he had

23 not had the great good fortune of attending that

24 conference in New York -- New York?

25 MR. SHANE: Objection to

1 characterization. Go ahead, Doctor.

2 A. Well, other than the Journal of Foot &

3 Ankle Surgery as I already mentioned.

4 Q. And what, if any, recollection do you

5 have of the date of the publication of the first

6 of these articles in the Journal of Foot & Ankle

7 Surgery about the use of alcohol injection

8 therapy?

9 A. It's the fall of 1999.

10 Q. And who was the principal author of

11 that article?

12 A. Dr. Gary Dockery.

13 Q. All right. And isn't it a fact that

14 that was the first article in a juried journal

15 for many, many years which had specifically

16 addressed the use of alcohol injection therapy

17 for the treatment of interdigital neuromas?

18 A. Yes.

19 Q. Have there been any since?

20 A. No.

21 Q. All right. So is it your statement,

22 Doctor, that a single article in the Journal of

23 Foot & Ankle Surgery was sufficient to establish

24 the standard of care that a reasonable and

25 prudent podiatrist in the United States of

1 America should have been adhering to in the
2 treatment of interdigital neuromas in the latter
3 half of the year 2000?

4 A. Yes.

5 Q. All right. And how common is it in
6 your profession to have a single article set the
7 standard of care for a treatment modality for
8 use by all of your colleagues nationwide?

9 A. A research article with the success
10 rates reported, extremely common.

11 Q. All right. Did the publication of that
12 article then make it incumbent upon
13 Dr. Doe to go out immediately and get
14 himself trained in the use of this modality?

15 A. I believe, yes, it requires a physician
16 to add that to their conservative treatment
17 regimen.

18 Q. Are there any other reasons why the use

19 of alcohol injection therapy for the treatment
20 of interdigital neuromas has become popular in
21 the United States of America within recent
22 years?

23 A. Other than what?

24 MR. SHANE: Objection.

25 Q. Other than the publication by

1 Dr. Dockery of this seminal article.

2 A. Other than the success rates reported

3 by Dr. Dockery?

4 Q. That's right.

5 A. That would -- that would be the only

6 reason needed for it to -- to be incorporated

7 into standard of care, would be the success

8 rates reported in literature.

9 Q. And would the fact that it pays at

10 least as well or better than the performance of

11 surgery to remove the neuromas have any impact

12 upon its popularity in your profession?

13 MR. SHANE: Objection.

14 A. No.

15 Q. And it's your opinion, is it, Doctor,

16 that there was an 80 percent chance of success

17 with the use of this alcohol therapy?

18 A. Actually according to that literature,

19 at least 80 percent.

20 Q. All right. And isn't that the same

21 success rate that you indicated to us you

22 attributed to surgical excision of the neuromas?

23 A. Yes.

24 Q. Well, if the success rate is the same,

25 why in your opinion is a physician required to

1 use one in preference to the other, or at least
2 to use one prior to resorting to the other?

3 A. Because the alcohol injections are
4 considered a conservative treatment modality,
5 and you avoid other complications that can
6 accompany surgery.

7 Q. And are there no complications with the
8 use of the alcohol injection therapy?

9 A. There are complications associated with
10 it.

11 Q. Okay. Now, do you use this alcohol to
12 inject it into the foot of your patient straight
13 the way it comes out of the bottle, or do you
14 mix it with something else?

15 A. You mix it.

16 Q. And what is it that it's mixed with
17 commonly?

18 A. It is commonly mixed with an anesthetic

19 called Marcaine or Bupivacaine, which is a
20 long-acting anesthetic. That anesthetic also
21 has a medication called epinephrine in it that
22 constricts the blood vessels and is designed to
23 keep the alcohol and the anesthetic in the area
24 where you injected it for a longer period of
25 time.

1 Q. And I take it that the use of the
2 anesthetic provides the patient with immediate
3 relief from the pain that he or she was
4 experiencing?

5 A. Yes.

6 Q. All right. Now, have you ever had in
7 your own practice patients who have undergone a
8 course of alcohol therapy and then returned to
9 you for surgical excision of their neuromas?

10 A. Yes.

11 Q. And have you ever had patients whom you
12 have treated with NSAIDs and gotten an initial
13 success in relief of symptoms who have
14 subsequently had the symptoms return and come to
15 you for surgery?

16 A. In my own practice, yes.

17 Q. Have you ever had patients whom you
18 have initially had success in treating with

19 orthotics who have returned to your practice

20 requesting surgery after their pain returned?

21 A. Requesting surgery or requiring

22 surgery?

23 Q. Requiring surgery was what I meant to

24 say. Thank you for the correction.

25 A. Yes.

1 Q. And would the same also be true with
2 corticosteroid injections and pills?

3 A. Yes.

4 Q. In fact, doesn't the literature
5 document a fairly high recurrence of the
6 symptoms of the neuromas in patients who have
7 initial success with the conservative treatment
8 modalities?

9 A. Excluding alcohol, yes.

10 Q. Okay. And you be -- you're stating now
11 for the ladies and gentlemen of the jury that it
12 was below the standard of care for
13 Dr. Doe not to have used alcohol
14 injections that because by the end of the year
15 2000 they had become the standard of care for
16 the treatment of interdigital neuromas?

17 A. As a conservative treatment measure,
18 yes.

19 Q. All right. Now what does the
20 literature say about the success of alcohol
21 injection therapy on fibroneuromas?

22 A. It is a treatment -- as far as the
23 literature, there isn't anything specifically
24 out there on one entity other than
25 intermetatarsal neuroma. It is used for nerve

1 pain and neurological pain to decrease the
2 sensation or to desiccate or dehydrate the
3 nerve.

4 Q. Well, if there is no literature that
5 tells you about the efficacy of these
6 treatment -- of this alcohol treatment in cases
7 of neurofibromas, how can you say that the
8 failure to use it -- that it was required to be
9 used in this particular case?

10 MR. SHANE: Object. Object to
11 form.

12 Q. I hope you understand that question
13 because I'm not sure that I did. Let me
14 withdraw the question, all right.

15 What, if any, scientific basis
16 are you aware of for saying that the use of
17 alcohol injection therapy is efficacious in the
18 treatment of neurofibromas?

19 A. There's no scientific basis for
20 treatment of a neuro -- the entity of
21 neurofibroma.

22 Q. Are you telling the ladies and
23 gentlemen of the jury that Dr. Doe was
24 obligated to use the alcohol on these
25 neurofibromas even though concededly at the time

1 he didn't know they were neurofibromas?

2 MR. SHANE: Object to form.

3 A. I think some of the confusion that --

4 that I am having is how you define. I don't

5 consider intermetatarsal neuromas to be

6 neurofibromas.

7 Q. Well, isn't it a fact that ultimately

8 the diagnosis of a neuroma is made by the

9 pathologist, the definitive diagnosis is made by

10 the pathologist, and up to that point the

11 diagnosis is simply presumptive based upon the

12 best evidence in the surgeon's possession?

13 A. You said ultimate physical diagnosis?

14 Q. I'm sorry. Ultimately the positive

15 diagnosis of neuroma is made by a pathologist?

16 A. Correct.

17 Q. All right. And up until the time the

18 pathologist can examine the tissue, the surgeon

19 is just making an educated guess?

20 MR. SHANE: Objection.

21 A. I wouldn't say it's a -- a guess. From
22 the clinical information that a physician has
23 from the examination, as well as the history, a
24 physician will make an initial diagnosis to --
25 to work off of.

1 Q. Well, perhaps I'm confused then. Would
2 you explain to us again how it was in
3 Mr. Poe's case that the alcohol was
4 going to permanently alleviate Mr. Poe's
5 pain that he was experiencing as the result of
6 the neurofibromas in his right foot?

7 A. Because based on the best available
8 evidence, as we've discussed, for example
9 Dr. Dockery's article on intermetatarsal
10 neuromas, that study was conducted on 100
11 patients that had the same clinical findings as
12 Mr. Poe.

13 Q. And how many of those patients of
14 Dr. Dockery's had neurofibromas?

15 A. We don't know how many of them had
16 neurofibromas because there wasn't any pathology
17 reports that were included in that article, but
18 they had intermetatarsal neuromas.

19 Q. And what success rate did Dr. Dockery

20 attribute to his treatment in his article?

21 A. 82 percent of his patients had either

22 60 to 100 percent complete relief, and there was

23 another 7 percent that had improved symptoms.

24 Q. Over what period of time?

25 A. The study was conducted over a period

1 of ten years. So the study was actually started
2 in 1986 through 1996. And the follow-up was,
3 average was 13 months postsurgical.

4 Q. And this was --

5 A. Or postconservative treatment.

6 Q. And this was published in the Journal
7 of Foot & Ankle Surgery?

8 A. Yes.

9 Q. What is the Journal of Foot & Ankle
10 Surgery?

11 A. It's a peer-reviewed -- a peer-reviewed
12 journal that is run by the American College of
13 Foot and Ankle Surgeons.

14 Q. And is it distributed to all of the
15 members of the American College of Foot and
16 Ankle Surgeons?

17 A. Yes.

18 Q. Do you get it if you aren't a member of

19 the American College of Foot and Ankle Surgeons?

20 A. You don't get it with your dues, but

21 you can subscribe to it.

22 Q. Now, just so that there can be no

23 concern about this, would you agree with me that

24 even though you believe the surgery was not done

25 correct -- was not necessary, that it was done

1 correctly?

2 A. According to the records I reviewed,
3 which consisted of the operative report, yes.

4 Q. All right. Now, are you also familiar
5 with a journal known as the Journal of the
6 American Podiograph -- Podiatric Medical
7 Association?

8 A. Yes.

9 Q. And is that a learned journal that you
10 find reliable in terms of the information that
11 it contains therein?

12 MR. SHANE: Objection. Are you
13 talking about a particular article or the
14 journal just generally?

15 Q. I'm talking about the journal generally
16 at the moment.

17 A. The journal generally at the moment? I
18 would not consider it as -- the publication's as

19 high a quality as the Journal of Foot & Ankle

20 Surgery.

21 Q. But it is generally regarded as

22 reliable by your colleagues, is it not?

23 MR. SHANE: Objection.

24 A. By my colleagues? No.

25 Q. Yeah.

1 All right. Are you familiar with
2 a Dr. Rodney Tomczak?

3 A. Yes.

4 Q. How do you know Dr. Tomczak, or what do
5 you know about him?

6 A. Those are two different questions.

7 Q. All right. Well --

8 MR. SHANE: Objection to form.

9 Q. Let me withdraw the question then.

10 At one point in time wasn't
11 Dr. Tomczak an associate professor of podiatric
12 medicine at something called the University of
13 Osteopathic Medicine and Health Sciences College
14 of Podiatric Medicine and Surgery in a place
15 called Des Moines, Iowa?

16 A. Yes.

17 Q. Is that the same place that you
18 currently work?

19 A. Yes.

20 Q. Is Dr. Tomczak still there by any

21 chance?

22 A. No, he's not.

23 Q. All right. Was Dr. Tomczak -- are you

24 familiar with his article on "A comparative

25 analysis of conservative versus surgical

1 treatment of Morton's neuroma."

2 A. Is that the one he published with

3 Dr. Hake?

4 Q. Yes.

5 A. Yes, I'm familiar with it.

6 Q. Gaynor, Hake, Spinner and Tomczak. All

7 right.

8 Do you consider the information

9 contained in that article to be reliable?

10 A. Based on my general impression of the

11 journal, not as reliable as the Journal of Foot

12 & Ankle Surgery.

13 Q. All right. Do you agree with the

14 following statement in --

15 MR. SHANE: Objection. He hasn't

16 recognized it as authoritative. You're just

17 going to read him something?

18 Q. All right. Then let me ask you the

19 question again.

20 Do you consider Dr. Tomczak's

21 article to be authoritative in the field of

22 treatment of Morton's neuroma?

23 A. I wouldn't consider it authoritative.

24 Q. Do you consider it reliable?

25 A. I would look at it and take it into

1 consideration with the -- the other information
2 that's available on the treatment of
3 intermetatarsal neuromas.

4 Q. So it's something upon which one of
5 your colleagues might reasonably rely in trying
6 to formulate a treatment pattern for a patient
7 with Morton's neuroma; that article and anything
8 else that he might wish to consider?

9 A. Yes.

10 Q. All right. Do you agree with the
11 following statements in Dr. Tomczak's article:
12 "That the summary of treatment success data
13 demonstrates a clear advantage of surgical
14 excision of the neuroma"?

15 A. No, I don't agree with that statement.

16 Q. Do you agree that surgery -- with this
17 statement, and I quote.

18 MR. SHANE: Objection.

19 Q. "Surgical treatment was successful in
20 76 percent of the cases reviewed"?

21 MR. SHANE: Objection. How can
22 he agree with that?

23 MR. BOONE: Well, he either does
24 or he doesn't.

25 MR. SHANE: That's a -- that's a

1 conclusionary statement by the author. That's
2 not an opinion. I don't --

3 MR. BOONE: Well, I'm asking if
4 he agrees with the authors' conclusions.

5 A. Those authors' conclusions are in line
6 with other published data regarding success rate
7 of surgical excision of neuroma.

8 Q. In fact, would you agree with me,
9 Doctor, that those conclusions are in line with
10 all of the published data on the treatment of
11 neuromas, both surgical and conservative?

12 A. I would have you define in line.

13 Q. Well, aren't his results consistent
14 with the results reported by virtually every
15 other author who has reported on the subject?

16 A. They are similar. In line, I wouldn't
17 say that they're exactly the same as every other
18 author, but they are similarly reported success

19 rates.

20 Q. Okay. Do you agree with the following

21 statement, and I quote. "From the data

22 presented here, it can be seen that surgical

23 management of Morton's neuroma demonstrates a

24 much higher probability of success when compared

25 to conservative methods"?

1 A. No, I do not agree with that statement.

2 Q. Now, are you familiar with a
3 publication known as Trial Magazine?

4 A. Yes.

5 Q. What do you understand it to be?

6 A. I understand it to be a -- oh, I
7 wouldn't call it a journal, but a magazine that
8 trial attorneys consult.

9 MR. SHANE: I'm going to object.
10 I think this goes far beyond cross-examination
11 of direct examination unless I missed something.

12 Q. Do you recall that a while back we had
13 occasion to convene by videoconference and I
14 took your deposition?

15 A. Yes.

16 Q. My records reflect that that deposition
17 occurred on September the 3rd of 2000. Is that
18 correct?

19 A. Yes.

20 Q. And if I'm not mistaken, you were

21 actually in this very room when all of that

22 happened; is that correct?

23 A. Yes.

24 Q. Now --

25 MR. SHANE: I'm going to object.

1 This is improper use of a deposition. If you
2 are using it to impeach him and have a question
3 pending, ask it and then you can use it for
4 impeachment purposes, but you just can't read
5 from his deposition. He's not a party.

6 Q. Have you ever advertised in Trial
7 Magazine?

8 A. Yes.

9 Q. And isn't it a fact that during the
10 course of the deposition we mentioned a moment
11 ago, you indicated that you were no longer
12 advertising in Trial Magazine?

13 A. Correct.

14 Q. In fact, weren't you asked the
15 following question?

16 MR. SHANE: Objection. There is
17 -- there is nothing pending for impeachment
18 purposes. He's answered your question.

19 MR. BOONE: Thank you.

20 Q. Do you recall having been asked the
21 following question and giving the following
22 answer? For the convenience of the Court and
23 counsel, I'm referring to Dr. Smith's deposition
24 at page 10, commencing at line 25.

25 Question: "Do you know whether

1 or not this case was referred to you by TASA,
2 T-A-S-A?"

3 Answer: "I don't think it was,
4 and I also used to have an ad -- used to have an
5 advertisement in a legal journal, but I can't
6 recall which one."

7 Is that correct?

8 A. Correct.

9 Q. In point of fact, at that particular
10 time you actually had an advertisement running
11 in the current issue -- the then current issue
12 of Trial Magazine, is -- is that a true
13 statement?

14 A. I don't know if it was running or not.
15 I know that I think last summer I signed up for
16 an advertisement for a period, and I -- and I
17 don't know how many months. I thought it was
18 only three months, and I thought I started it in

19 June. So at the time when I gave you that
20 answer, the advertisement, if I thought I would
21 have started it in June, then September would
22 have been after that three-month window, so the
23 answer that I gave you to my recollection was a
24 truthful answer.

25 MR. BOONE: Madam reporter, would

1 you mark this as Defense Deposition Exhibit
2 Number 1 for identification, please.

3 (Defendant's Exhibit 1 was marked
4 for identification by the reporter.)

5 Q. Doctor, let me show you what's been
6 marked as Defense Deposition Exhibit Number 1
7 for identification, please. I'm going to refer
8 you to page 104 of that exhibit, the middle
9 column, about right there, and ask you to see if
10 there's any advertisement on that page that you
11 recognize.

12 A. Yes.

13 Q. Would you read it, please?

14 A. "Podiatry and foot and ankle surgery.
15 Board certified. University faculty. Associate
16 dean for clinical affairs. Plaintiff/defense
17 case review and testimony." And then a phone
18 number is listed.

19 Q. Is that your telephone number?

20 A. Yes.

21 MR. BOONE: All right. I have

22 nothing further of the doctor at this time.

23 MR. SHANE: Okay. I have a few

24 more questions, Doctor.

25

1 REDIRECT EXAMINATION

2 BY MR. SHANE:

3 Q. Let me ask you something. If you try a
4 course of conservative management of neuromas
5 and that is unsuccessful in I think you said
6 around 20 percent of cases?

7 A. Yes.

8 Q. Somewhere in that range. Can you then
9 go to surgery as a -- as a -- what you said was
10 the final resort?

11 A. Yes.

12 Q. If you do the surgery and you fail, can
13 you go back now and successfully use
14 conservative measures?

15 A. You can go back and use conservative
16 measures.

17 Q. Successfully?

18 A. Not successfully, no.

19 Q. Now, you said that -- Mr. Boone asked
20 you about the ultrasound. Those ultrasound
21 measurements that he read to you, do they
22 automatically mean that this is a patient who's
23 got to have surgery?

24 A. No.

25 Q. How long was it -- I think you said --

1 do you know when Dr. Doe actually gave

2 Mr. Poe his orthotics?

3 A. September, early September or mid

4 September.

5 Q. Of 2000?

6 A. Of 2000 I think it was.

7 Q. And what was the date of the first

8 surgery?

9 A. I think December 7th of 2000.

10 Q. Is that a reasonable period of time of

11 conservative management for someone who has

12 three diagnosed interdigital neuromas?

13 MR. BOONE: Objection to the form

14 of the question.

15 A. No.

16 Q. Mr. Boone talked to you about the --

17 this article here. What, what -- what

18 percentage of your, your professional time is

19 spent in reviewing matters like this?

20 A. Very small percent actually. I'd

21 probably say total time, less than 2 percent,

22 3 percent.

23 Q. And why do you -- what -- to what use

24 do you put the information you gather from cases

25 you review?

1 MR. BOONE: Objection. Beyond
2 the scope of either direct or cross.

3 MR. SHANE: You brought up the
4 advertising.

5 Q. Go ahead, Doctor.

6 MR. BOONE: I didn't bring up
7 what he uses the information that he garners
8 from his review of cases.

9 Q. Go ahead, Doctor.

10 A. Some of the cases I've actually removed
11 names from and actually used them in the
12 curriculum --

13 Q. How --

14 A. -- in small group discussions that we
15 have with students.

16 Q. How -- how is that used for teaching
17 purposes?

18 A. Well, it's useful in teaching purposes

19 for a couple of reasons. One to -- to teach the
20 students what issues such as standard of care,
21 complications. I give a lecture to the
22 students, and often I'll bring in information
23 that -- from previous cases such as is -- is a
24 complication after surgery a deviation from
25 standard of care or not. You know, so I use it

1 for teaching purposes to kind of highlight not
2 only conservative treatment versus surgical
3 treatment, but also the general legal process.

4 Q. Okay. And I assume like most experts
5 there is some payment for your time in this. Do
6 you actually --

7 MR. BOONE: Objection. Beyond
8 the scope of cross and beyond the scope of
9 direct.

10 MR. SHANE: I don't think so. I
11 think you brought up the advertising.

12 Q. What -- what purposes do you use the
13 money garnered from this?

14 MR. BOONE: I strongly object to
15 that. Same reason. Move to strike any answer
16 he gives.

17 Q. Go ahead, Doctor.

18 A. I get I would say reimbursed, or I

19 actually do receive payment for review of cases.

20 Some of it is personal income and then some of

21 it has been donated to the university in the

22 form of student scholarships.

23 Q. Okay. Last question, Doctor.

24 The Journal of Foot & Ankle

25 Surgery goes to whom?

1 A. It goes to associates of the American
2 College of Foot and Ankle Surgeons, which are
3 members who join the organization after they
4 receive board -- board certification. It goes
5 to podiatric medical students, hospitals and
6 libraries subscribe to it.

7 Q. When someone's a member of that group,
8 is that a fellow of the American College of Foot
9 and Ankle Surgeons?

10 A. Yes.

11 Q. Is that FACFAS?

12 A. Yes.

13 Q. When Dr. Doe signs his name, how
14 does -- what's his signature block say?

15 A. FACFAS.

16 Q. You mentioned something earlier about
17 you learned to do a different kind of injection.
18 What actually was that injection that you

19 learned? You said methyl -- you said you

20 learned to do an injection.

21 A. Cyanocobalamin.

22 Q. Cyanocobalamin. What is that?

23 A. It's B12.

24 Q. Okay. And that was something that

25 people used earlier even than Dr. Dockery's

1 article?

2 A. Yes. People had used that to inject
3 intermetatarsal neuromas. It was often thought
4 that it was the B12 that was actually giving
5 patients relief. However, cy -- cyanocobalamin
6 actually has dehydrated alcohol in it.

7 MR. SHANE: Okay, thank you,
8 Doctor. That's all.

9 MR. BOONE: Nothing further.

10 THE VIDEOGRAPHER: This
11 deposition is complete. We are off the record
12 at 2:16 p.m.

13 (Deposition concluded at 2:16 p.m.)

14 The deposition of Kevin M. Smith,
15 D.P.M., is now complete. When transcribed, the
16 original of the deposition shall be given to
17 Mr. Jeffrey A. Shane. The original exhibits
18 shall be distributed as follows: Original of
19 Plaintiff's Exhibit 1 was retained by Mr. Shane,
20 and original of Defendants' Exhibit 1 was
21 retained by Mr. Richard W. Boone, Sr.

(UNLESS OTHERWISE DIRECTED BY

19 COUNSEL OR THE PARTIES HERETO, THE STENOGRAPHIC
NOTES FOR THE FOREGOING DEPOSITION SHALL BE
20 DESTROYED AFTER A PERIOD OF 3 YEARS FROM THE
DATE OF TAKING OF SAID DEPOSITION.)

21

22

23

24

25

1 C E R T I F I C A T E

2 I, the undersigned, a Certified
3 Shorthand Reporter and Notary Public of the
4 State of Iowa, do hereby certify that I acted as
5 the Certified Shorthand Reporter in the
6 foregoing matter at the time and place indicated
7 herein; that I took in shorthand the proceedings
8 had at said time and place; that said shorthand
9 notes were reduced to typewriting under my
10 supervision and direction, and that the
11 foregoing pages are a full and correct
12 transcript of the shorthand notes so taken; that
13 said deposition was not submitted for review.

14 I further certify that I am
15 neither attorney nor counsel for, or related to
16 or employed by any of the parties in the
17 foregoing matter, and further that I am not a
18 relative or employee of any attorney or counsel
19 employed by the parties hereto, or financially
20 interested in the action.

21 IN WITNESS WHEREOF, I have
22 hereunto set my hand and seal this __ day of
23 _____, 2005.

24
25
26 -----
27 CERTIFIED SHORTHAND REPORTER
28 and NOTARY PUBLIC

19

20

21

22

23

24

25