

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF KINGS: CIVIL TERM:

-----X
:
MARISA DOMIZIO, :
:
PLAINTIFF :
:
- against - :
:
JOHN DOOLAN, D.P.M. & Mantattan :
FOOTCARE, INC., :
:
DEFENDANTS. :

-----X
Ind. No. 8347/06

AUGUST 13, 2008
PART 57
360 ADAMS STREET
BROOKLYN, NEW YORK 11201

P R O C E E D I N G S

B E F O R E: HON. LAURENCE KNIPEL,
SUPREME COURT JUSTICE.

APPEARANCES:

FOR THE PLAINTIFF:
STEVEN J. BASSIN, ESQ.

LAWRENCE M. KARAM, ESQ.

FOR THE DEFENDANT JOHN DOOLAN:
NEIL S. KORNFELD, ESQ.

FOR DEFENDANT MANHATTAN FOOTCARE:
GORDON D. TRESCH, ESQ.

COURT REPORTER:

TIFFANY MILIUS,
SENIOR COURT REPORTER - C.S.R.

1 THE COURT: We're ready for the jury.

2 (Jury entering)

3 THE COURT: You can be seated jurors. Good
4 morning jurors. With that plaintiff may call your next
5 witness.

6 MR. BASSIN: Thank you very much. I call Dr.
7 Gary Saphire to the stand.

8 THE CLERK: Raise your right, hand do you swear
9 or affirm the testimony you are about to give in court this
10 day will be the truth, whole truth and nothing but the truth
11 so help you?

12 THE WITNESS: I do.

13 THE CLERK: Please have a seat. State your
14 name and address for the record.

15 THE WITNESS: Gary Saphire, S-A-P-H-I-R-E, 248
16 Avenue P, Brooklyn, New York 11204.

17 MR. BASSIN: Thank you very much your Honor.

18 DIRECT EXAMINATION BY MR. BASSIN:

19 Q Doctor, can you tell us about your educational
20 background?

21 A I went to Brooklyn College here in Brooklyn, New
22 York. I attended there from 1970 till 1974. Then I went to
23 Chicago, Illinois, College of Podiatric Medicine. I finished
24 there in 1978 and I graduated cum laude with doctor in
25 podiatric medicine. After that I came back to Brooklyn to

1 Coney Island Hospital and did my residency in podiatric
2 medicine in surgery in Coney Island Hospital.

3 Q Thank you. Are you Board certified?

4 A Yes, I am.

5 Q Can you tell us in what areas and tell us a little
6 bit about the Board certification.

7 A The areas I'm Board certified in is podiatric
8 surgery, so I'm Board certified by the American Board of
9 Podiatric Surgery. Also in quality assurance and utilization
10 review. The initial is by the National Board of Podiatry
11 Examiners.

12 Q Are you a member of any professional
13 organizations?

14 A Yes, I am.

15 Q Can you tell us what there are?

16 A I'm a member of the American College of Foot and
17 Ankle Surgeons, member of the American Podiatric Medical
18 Association and the New York State Podiatric Medical
19 Association.

20 Q What are your current hospital affiliations?

21 A I work at Methodist Hospital in Brooklyn.

22 Q As part of your podiatric practice, do you perform
23 surgery?

24 A Yes, I do.

25 Q Approximately how many surgeries do you perform a

1 year?

2 A Around 200.

3 Q Did there come a time -- by the way, have I ever
4 asked you to review a case before?

5 A Not before this.

6 Q Have I ever asked you to testify before this?

7 A No.

8 Q Do you know Mr. Karam?

9 A Yes, I do.

10 Q And have you testified for him before?

11 A No, I have never testified for him.

12 Q Okay. Now, did I ask you to review this case as
13 to whether care rendered by the defendants was within the
14 accepted standard of podiatric care?

15 A Pardon me?

16 Q Did I ask you to review this case and to render an
17 opinion as to whether or not the defendant's performance of
18 services for Ms. Domizio were within the standards of
19 podiatric care?

20 A Yes, you did.

21 Q And in so reviewing did I ask you to review the
22 medical records of Manhattan Footcare and Dr. Doolan?

23 A Yes, sir.

24 Q Including the hospital operative reports from
25 Cabrini Hospital?

1 A Yes.

2 Q And various x-rays and photographs?

3 A Yes.

4 Q And I also gave you copies of the bill of
5 particulars in this case?

6 A Yes, you did.

7 Q And did you have an opportunity to review the
8 examination of Ms. Domizio by Dr. Carl Greenberg?

9 A Yes, I did.

10 Q And did you have an opportunity today in court to
11 review copies of the x-rays from that?

12 A I saw those this morning.

13 Q Did you also see anything else? Did I show you
14 any of the materials in this case such as the e-mails from
15 between Ms. Domizio and Dr. Doolan from London to New York
16 and back and forth?

17 A Yes, I reviewed those.

18 Q Did you have an opportunity to review the
19 depositions of both Ms. Domizio and Dr. Doolan?

20 A Yes, I did.

21 Q And did I spend to time discussing with you the
22 testimony of Dr. Doolan and Ms. Domizio from Monday?

23 A Yes, you did.

24 Q Did you also see some other medical records?

25 A I reviewed some medical records from Saint

1 George's Hospital.

2 Q Did you base your opinion in this case upon the
3 medical records from Cabrini operations and Manhattan
4 Footcare?

5 A Yes.

6 Q And the x-rays you saw?

7 A Yes, sir.

8 Q Is that correct, what you're basing your opinions
9 on today?

10 A Yes, it is.

11 Q Are you being paid for the time you reviewed the
12 records.

13 A Yes, I am.

14 Q And are you being paid for your time away from
15 your practice to be here in court today?

16 A Yes, I am.

17 Q Approximately how many cases a year do you review?

18 A Around ten.

19 Q And how many of them are for plaintiffs? Are they
20 both for plaintiffs and defendants?

21 A Yes.

22 Q How is it divided up?

23 A It's probably 60/40, 70/30 for plaintiffs.

24 Q How often do you appear in court to testify?

25 A Three to four times.

1 Q And did you testify, again, for both plaintiffs
2 and defendants?

3 A Yes, I did.

4 Q About the same proportion?

5 A Most often for plaintiffs.

6 Q Do you have any quantification about how much of
7 your practice or your income comes from these reviews and
8 testifying?

9 A It's about three percent.

10 Q Doctor, after your review of the Cabrini and
11 Manhattan Footcare records and the x-rays, did you have an
12 opinion within a reasonable degree of podiatric certainty as
13 to whether or not the defendants, John Doolan and Manhattan
14 Footcare through Dr. Doolan and also Dr. Tesser and others,
15 departed from good and accepted podiatric practice in their
16 treatment of Ms. Domizio?

17 A Yes, I do.

18 Q Are all the opinions that you're going to give
19 expressed with a reasonable degree of podiatric certainty?

20 A Yes, sir, they are.

21 Q And did you come to an opinion as to the cause of
22 the different complaints and injuries of Ms. Domizio?

23 A Yes, I did.

24 Q And are all of those opinions also based on a
25 standard of podiatric practice at this time?

1 A Yes, they are.

2 Q And they're all within a reasonable degree of
3 podiatric certainty?

4 A Yes, sir.

5 Q Now can you tell us what your opinion is; did they
6 depart from good and accepted practice?

7 A Yes, they did depart from good and accepted
8 practice.

9 Q Can you explain that to us as you sit here today;
10 first, if you could sort of outline it and then we'll go back
11 in detail.

12 A Yes. The very first departure was taking the
13 history of the patient. The patient came into Manhattan
14 Footcare complaining of pain and numbness in her foot. These
15 signs would lead you to believe that there is some kind of
16 nerve problem or entrapment or neuroma. But this was never
17 investigated. That was something that could simply be
18 investigated with a Mulder's test, which takes all of ten
19 seconds to perform. And had they listened to the patient and
20 heard that she had the sharp pain and had numbness in her
21 toes, they would have investigated this and they would have
22 addressed the -- correct the problem instead of doing surgery
23 that really weren't indicated. So this is really the primary
24 departure. This is where the ball started to roll down the
25 hill.

1 Other departures were the work up. The x-rays
2 weren't done in a weight-bearing position. We have Dr.
3 Tesser doing his examination and noting that there is a poor
4 capillary refill. Capillary refill is an indicator of
5 circulation. When you press on the end of your finger and
6 take it away your finger will be white and it should come
7 back to pink color in less than three seconds. The doctor
8 who did the -- Dr. Tesser, who did it sees that it's
9 abnormal. He really didn't quantify it but he notes it as
10 being abnormal. This should have been investigated further
11 through vascular studies, or doplar studies or pulse volume
12 recordings to rule out any problems before the surgery was
13 done.

14 Another thing that really bothered me --

15 MR. KORNFELD: Objection.

16 THE COURT: Overruled. I'll allow it.

17 A There was --

18 THE COURT: When you say it bothered you, we
19 ask you to testify within a reasonable degree of medical
20 certainty as to a departure from good and accepted practice.
21 I assume -- should I assume using the word bother is a
22 thumbnail for that?

23 THE WITNESS: Yes, sir.

24 A This other thing that bothered me, I think is a
25 departure, is that no conservative care was undertaken. Here

1 we have a lady coming into the office with pain in the bottom
2 of her foot. She's got this pain and numbness. One of the
3 things you can do is put a felt pad on there to try to off
4 weight the metatarsals and see if that helps. You can make
5 orthotics. You can give non-steroidal anti-inflammatories
6 like Motrin. You can make arch supports or orthotics that
7 have been especially fabricated to off weight the metatarsal
8 heads where she is having the pain.

9 I think that you should try to do some
10 conservative therapy or non-invasive therapy that is not to
11 rush to do surgery. I think that once you have done the
12 surgery you can't undo it as you see here.

13 I think conservative care should have been
14 undertaken and that may have prevented a lot of the problems
15 that we're seeing now or it would have certainly would have
16 prevented all of the problems if it were successful.

17 Now the x-rays that I saw, the actual original
18 x-rays from Manhattan Footcare were of such poor quality they
19 were really unreadable. So I don't see how you could use
20 those to make a diagnosis. You can say to me, well, when I
21 held it up to a hot light and I saw this and saw that, why
22 not just take retake and get good x-rays? It's really
23 slipshod practice.

24 These are the initial departures.

25 Q All the pre operative and the prep work done, this

1 is all done below the standard of care?

2 A Yes. It really does fall below the standard of
3 care. I think she should have been worked up in light of the
4 alteration of the capillary refill. I think she should have
5 been worked up for conservative care. I think that she
6 should have been, really should have been given conservative
7 care first. I really think that they dropped the ball

8 completely by not doing an adequate history. Had they
9 listened to the patient they would have heard that she had
10 sharp pain in her foot and that she had numbness in her toes.

11 If you say that to a medical student, they're
12 going to think about a neuroma, some type of nerve entrapment
13 that sharp pain is like when you hit your funny bone, tingly
14 feeling. That's the sensation you can you get with neuroma.
15 You know, how you get numbness in your fingers if you lean to
16 them too long.

17 Q Before we go onto the next part, let me go through
18 some of that with you. When she first presented, if you
19 remember -- first of all, I will give you the exhibits in
20 this case so you have them in front of you?

21 (Handed to witness)

22 Q Doctor, can you explain, did Dr. Doolan do any of
23 the original notes before the operation in the chart that you
24 remember?

25 A No.

1 Q And I want you to assume that Dr. Doolan said when
2 he was here testifying on Monday that the reason he didn't do
3 notes is he was there but he saw he tested the notes and
4 Tesser did the notes and there's no reason for him to write
5 down because Tesser did already. I want you to assume that
6 is that the proper procedure for the person doing the
7 surgery?

8 A No. I think the surgeon is obligated to write a
9 pre-operative note, obligated to meet the standard of care.

10 Q Now, doctor, I want you to also assume that Dr.
11 Doolan testified that -- first of all, in the notes, did Dr.
12 Tesser do a capillary refill test?

13 A Yes, he did.

14 Q And what did he find?

15 A He found it to be abnormal.

16 Q Is that something that would be something that
17 should be looked into further before surgery is done?

18 A Yes, it should.

19 Q And what could be done to look into it further if
20 in fact there is a capillary refill problem?

21 A Some very simple tests, a doplar study. You can
22 do ankle brachial index. Check the blood pressure of the arm
23 against the ankle. You can check the blood pressure of the
24 arm against the blood pressure in the toe. You can also do a
25 pulse volume recording to see how much blood is coursing

1 through the toe.

2 Q And are all of those tests available in the
3 podiatric offices where surgery is done?

4 A Most, in most offices, sure.

5 Q Doctor, I want you to assume that Dr. Doolan said
6 in his testimony on Monday that he didn't agree that there is
7 a capillary refill problem and there was another problem
8 actually involved in what happened causing the compromise of
9 the fourth toe.

10 Now doctor, is it below the standard of
11 practice if one doctor writes a note in there saying it is a
12 capillary refill problem and you're standing there and you
13 are going to be the operating surgeon and you disagree with
14 that finding? Would you then put your own note in saying you
15 disagree with that finding, but just let the notes saying
16 that there is a capillary refill problem stand there alone in
17 the notes of the office?

18 A The standard of care would dictate if you see a
19 finding you don't agree with that you document your finding
20 and explain why there's a difference.

21 Q Now, do you notice in the notes did they quantify
22 where, exactly, where the foot pain was or was it general?

23 A It was general. It was pain and numbness.

24 Q Now is it below the standard of care or the
25 appropriate care to not define it more specifically in the

1 notes?

2 A Yes.

3 Q Doctor, is one of the reasons to take notes so
4 that anybody reading the file or the notes that is picking up
5 the case would have an understanding of what went on before,
6 is that correct?

7 MR. KORNFELD: Objection, your Honor.

8 THE COURT: I will sustain.

9 Q Doctor, do doctors take notes just for their own
10 -- let me put it another way.

11 THE COURT: Ask him why doctors take notes.

12 Q Why do doctors take notes in any case pre
13 operative or any evaluation?

14 A The reason we take notes and detailed notes so
15 that we know what's going on with the patient, what's
16 happening in the past and where we are going in the future.
17 We start out -- most doctors just take notes, acronyms;
18 subjective, objective and assessment and planning.

19 The subjective is what the patient tells you
20 about the pain and where the pain is, how long it's been
21 there what they have done for it, what makes it better or
22 worse. The objective is your evaluations and your re
23 evaluations in findings of your physical exam and x-rays.
24 And your assessment is the diagnosis that you make. And then
25 the plan is what we are going to do; make orthotics, surgery

1 or give you medication.

2 It's very important to have those documented.
3 We see 50, 100, 125 patients during a week. You can't
4 remember everything. So it is very important for you to keep
5 detailed notes. Also, if you're in a group practice, perhaps
6 one doctor will see the patient and then the next day the
7 patient comes in and the doctor isn't there so you're
8 associate has to now figure out what's going on. So it's
9 really important to have these detailed notes.

10 Q Have you ever heard the phrase if it's not in the
11 notes it didn't happen?

12 MR. KORNFELD: Objection.

13 THE COURT: Sustained. I will sustain.

14 Q Doctor, you have read the Ms. Domizio's deposition
15 and I want you to assume when she testified here on Monday
16 she said that she had paper in the bottom of her foot between
17 her third and fourth toe.

18 If somebody came in with that complaint what
19 would you be doing during examination?

20 A What I would do is investigate two things: First,
21 I would investigate the if there were a neuroma. We would do
22 the Mulder's test.

23 Second thing is the adjacent
24 metatarsophalangeal. I would squeeze and palpate them to see
25 if there's any pain in the joints. This way you could

1 isolate whether it is a capsularly problem. In other words,
2 a problem with the bone or whether it is a problem with the
3 nerve.

4 Q If you would have done that based on this case, if
5 that test were done, do you believe it was accepted practice
6 to do the kind of surgery that ultimately was done in this
7 case or was the surgery needed at all, that kind of surgery?

8 MR. KORNFELD: Objection, your Honor.

9 THE COURT: Sustained as to form only.

10 Q So doctor, based on doing that test for Morton's
11 neuroma, assuming it come back positive, what would you do?

12 A The next thing I would have done is explain to the
13 patient what she had. You have a nerve entrapment, this is
14 what we can do for you. We can start by changing your shoes.
15 A lot of times just a tight shoe will irritate the nerve. We
16 can then go onto do injections or give you an anti
17 inflammatory medication in the family of Motrin. We can make
18 a custom molded orthotic to spread the bone to not pinch the
19 nerve. We can do cortisone or absolute alcohol injections to
20 decrease the inflammation of the nerve. Or if all else fails
21 we can do surgery and excise the nerve or cut ligament in
22 between the toes to give the bones a little more ability to
23 spread. So this would be a step wise thing. You start out
24 with the least injurious thing to the patient first.

25 Q Is that the surgery that was done on January 3rd

1 on Ms. Domizio?

2 A No, sir.

3 Q Was that her complaint when she came into see
4 Manhattan Footcare and was examined by Dr. Tesser?

5 A Yes, it was; plantar pain under the third and
6 fourth toes.

7 Q And this is the condition that she does have and
8 still has today?

9 A Yes.

10 Q And that condition is noted in Dr. Greenberg's --

11 MR. KORNFELD: Objection. This isn't
12 cross-examination.

13 THE COURT: I'll allow the question.

14 MR. KORNFELD: Dr. Greenberg -- there is
15 nothing in evidence.

16 THE COURT: Greenberg is not in evidence?
17 Sustained.

18 MR. BASSIN: Subject to connection, your Honor,
19 because I said he would be our witness this afternoon.

20 THE COURT: I'll allow it subject to
21 connection.

22 Q Did Dr. Greenberg find in his exam in 2007 that
23 she still have Morton's neuroma?

24 MR. KORNFELD: Objection.

25 Q That she had a Morton's neuroma?

1 THE COURT: In light of the fact witness is
2 coming in I'll allow it.

3 A I am sorry, would you repeat it?

4 Q Did Dr. Greenberg in his report find in 2007 find
5 she had a Morton's neuroma?

6 A Yes.

7 Q Based on your reading of her deposition and also
8 assuming she stated that she had pain between her third and
9 fourth toe and there was callus there, do you have an opinion
10 as to whether it was Morton's neuroma when seen by Dr.
11 Tesser?

12 MR. KORNFELD: Objection.

13 THE COURT: Sustained as to form only.

14 Q Do you believe -- do you have an opinion as to
15 whether she had a Morton's neuroma when she originally came
16 into Manhattan Footcare in 2002, October of 2002?

17 A Yes, I do.

18 Q Did she?

19 A Yes, I believe she did.

20 Q Now you stated before that they did not do any
21 weight-bearing x-rays. Why was that important to do and is
22 it the standard of care to do that in this particular case
23 where they said she had hammertoes?

24 A It is the standard of care to do weight-bearing
25 x-rays if you're going to do bone surgery on a patient.

1 Weight-bearing x-rays are important because they're
2 reproducible, number one.

3 When we do an off weight-bearing x-ray your
4 foot can be at a different angle. It can have different
5 position as far as its anterior/posterior position. When you
6 do it weight bearing, it's done how you would normally stand
7 with your full weight on it. Having your full weight on it
8 shows how the bones react to weight bearing. It's important,
9 that's how our feet work down on the ground, under weight.
10 So that gives you a very good idea of what's going on with
11 the foot. You could have some contracture, you could have
12 bending in your toes when you're off weight bearing. But
13 when you stand down they can come straight. When you stand
14 down your feet can spread. There are many reasons we do
15 weight bearing.

16 Q That would be standard practice to do those
17 weight-bearing x-rays before surgery on the foot?

18 A Yes.

19 MR. KORNFELD: Objection.

20 THE COURT: I'll allow it.

21 Q Doctor, you have had opportunity to review the
22 x-rays in evidence in this case, is that correct?

23 A Yes, I did.

24 Q And would they be helpful in explaining to the
25 jury what you just stated?

1 A Yes.

2 Q I will show you the x-rays the copies of them and
3 put them up on a board for you. You can use those.

4 MR. BASSIN: Can the doctor come down?

5 THE COURT: Yes.

6 THE WITNESS: May I have the original films?

7 MR. BASSIN: Sure, they're right here. We have
8 a light box.

9 A This is the pre operative x-ray. It's marked
10 plaintiff's 2-A. You can see that there is a little very
11 little detail here. It would be difficult to make any kind
12 of a diagnosis with the toes. The toes are burned out. This
13 x-ray is over exposed. If you recall earlier on I said the
14 simple thing to do would be the re shoot the x-ray and get a
15 better quality x-ray and that takes all of a dollar for the
16 film and maybe two minutes of your time or your staff's time.
17 So that I think is really a departure. It just shows you
18 things aren't really being done with the care that they
19 should be done. We have an x-ray that was enhanced. This
20 went out to a lab and the lab was able to enhance this and
21 get the --

22 MR. KORNFELD: Your Honor, move to strike. We
23 were told it's just a blow up of the x-ray that's why it was
24 admitted into evidence. Is it the same x-ray?

25 MR. BASSIN: It's the same x-ray.

1 THE COURT: This is the same one that's in
2 evidence, I'll allow it.

3 MR. KORNFELD: I agree with that it is the same
4 x-ray. The witness is now stating that it was changed or
5 something done at a laboratory.

6 THE COURT: You want to voir dire?

7 MR. KORNFELD: No, I don't want things that are
8 enhanced and not evidence --

9 THE WITNESS: It is the same thing, your Honor.

10 THE COURT: I will ask the witness a question.
11 Tell me about this document here; is it the same?

12 THE WITNESS: If you look at the initial x-ray
13 the actual that doctor used is exposed.

14 MR. KORNFELD: Can we do this out of the
15 presence of the jury?

16 THE COURT: Let me just ask you a question. Is
17 this the same x-ray or isn't this the same image?

18 THE WITNESS: It is the same image.

19 THE COURT: What procedure was done? I assume
20 you enhanced --

21 THE WITNESS: I didn't do it. A lab did it.

22 THE COURT: Enhanced the image?

23 THE WITNESS: Yes, so you can see --

24 MR. KORNFELD: I have never seen an altered
25 x-ray before. I would like to see it out of the presence of

1 the jury and if I could have a moment to review it. You
2 don't suddenly bring in altered x-ray into court into trial.

3 MR. BASSIN: It's the same x-ray.

4 THE COURT: Fair enough. We'll have a very
5 brief hearing on it. Take the jury out just to my chambers
6 in the back. Just take a minute or two jurors.

7 (Jury exiting).

8 THE COURT: Just to do it in an orderly
9 fashion. Plaintiff's counsel why don't you inquire of your
10 client.

11 MR. BASSIN: Doctor, is that what is a hot
12 light? There was some testimony --

13 THE WITNESS: A very bright light, brighter
14 than the fluorescent bulbs in here. It's used to examine
15 x-rays that are over exposed.

16 MR. BASSIN: Is that the image that one would
17 get identical to what would happen if you looked at that
18 x-ray, that exact x-ray, but under a hotter light than we
19 have in the court today?

20 THE WITNESS: Yes, it is.

21 MR. KORNFELD: So can I have it please?

22 THE COURT: Yes.

23 MR. KORNFELD: I don't know why this wasn't
24 done before the jury came in. Judge, I don't have an
25 objection. What I objected to its being enhanced.

1 Apparently what the witness is saying now is that this is how
2 the x-ray would appear if you're in the operating room and it
3 had a hot light or x-ray box.

4 THE COURT: It's the same x-ray but under a hot
5 light.

6 MR. KORNFELD: The term enhanced is different
7 term and that is my objection assuming it was altered.

8 THE COURT: Enhanced to the extent that it is
9 the same x-ray examined under a hot light. Do you object to
10 that being in evidence?

11 MR. KORNFELD: No I don't.

12 (Jury entering)

13 MR. KORNFELD: Based on the discussion outside
14 the presence of the jury this is the same x-ray. The word
15 enhanced may have been inaccurate so I withdraw my objection.

16 THE COURT: Objection withdrawn. It is in
17 evidence. Proceed counsel.

18 Q Doctor, what is the date of that x-ray?

19 A I have to refer to the -- it's 10/8/02.

20 Q So doctor, that x-ray was taken on the initial
21 visit isn't that correct?

22 A Yes.

23 Q I want you to assume that Dr. Doolan said he
24 looked at that x-ray under a hot light immediately prior to
25 surgery and that would be the essentially image you would get

1 there?

2 A Yes.

3 Q So you between October 8th when they did all the
4 planning between October 8th and December 16th --

5 MR. KORNFELD: January 3rd.

6 Q Excuse me. That period of time when they did all
7 of that between that two or three-month period they made all
8 of their decisions in the pre evaluation and the evaluation
9 of this patient and what care she did, based on the x-ray
10 before it was enhanced, isn't that correct?

11 MR. KORNFELD: Objection.

12 THE COURT: Sustained at least as to form. You
13 asked him to assume and asked him whether that is correct or
14 not.

15 Q Doctor, I want you to assume that Dr. Doolan
16 testified here Monday that he examined the x-ray of October
17 8th under a hot light on January 3, 2003, immediately prior
18 to his surgery, the first surgery on Ms. Domizio.

19 Doctor, based on that what was the defendant
20 looking at from October 8th through January 2nd as an x-ray,
21 and was it an adequate x-ray to do a proper evaluation in
22 this case without the enhancement?

23 MR. KORNFELD: Objection.

24 THE COURT: I'll allow it.

25 A The x-ray was not adequate. It wasn't a

1 weight-bearing film. The quality of the x-ray isn't
2 adequate. This is what you're seeing as doctor had seen it
3 under the hot light. When doctor looked at it, now he could
4 see the toes. I don't think that the decision alone to do
5 the surgery was made solely on the x-ray. It is done before
6 because of the inadequate history and physical that was done.
7 I think that those -- you don't do surgery just because of an
8 x-ray. All the gears have to mesh and this is one of the
9 gears.

10 Q That would be one of things that they did
11 inadequately beforehand?

12 MR. KORNFELD: Objection.

13 THE COURT: Sustained.

14 Q Was that x-ray being used as part of the
15 evaluation below the standard of care in this case?

16 A Certainly.

17 Q Any other x-rays you want to describe or is that
18 enough for the moment?

19 A What we can look at here while we have the x-ray
20 up. We're talking about hammertoes. Hammertoe, your toe
21 should lay in a nice, gentle curve and hammertoe is when your
22 toes bend up this way. I am sure you have all seen the
23 posters in the subway of hammertoes and how they can make
24 your feet look perfect.

25 If you look at these films you really don't see

1 hammertoes here. There is a mallet here. You can see that
2 it is bent over. That is the third toe and at the very end
3 the toe is bent down like that. There is a similar deformity
4 in the fourth toe but there are no true hammertoes. You can
5 see there is joint space here. Might be tough for you to see
6 but there is a little black line. You can see here it is
7 joint space. If these were real hammertoes you would not see
8 the joint space here because what happens is the bone, the
9 bone in the toe, the phalanx, rides up over the metatarsal
10 head and when you do the x-ray you would be looking through
11 bone, you wouldn't see that nice space. I don't see a
12 hammertoe there at all in the second toe.

13 Q Doctor, based on are your experience and your
14 opinion, are these even -- if they were called hammertoes,
15 are these hammertoes that would be operated on or would
16 operate on as hammertoe operations?

17 MR. KORNFELD: Objection.

18 THE COURT: Sustained.

19 Q Is the standard of care when somebody comes in
20 complaining of pain below the foot between the third and
21 fourth toe, is operating on these toes in a hammertoe
22 operation the appropriate care given for that particular
23 complaint?

24 A In light of everything that we have discussed so
25 far, no. This would be the equivalent of you having handyman

1 come to your house because your basement is flooded and he
2 tells you you need a new roof because it is leaking instead
3 of going in the basement seeing that the hose is came off the
4 washer. You fix the roof but you still have your flood in
5 the basement. That's what happened here.

6 Q Thank you very much doctor. Anything further on
7 that x-ray or for the moment?

8 A No, sir.

9 Q Let's go back up to the stand. Now I'd like to go
10 back a little bit on the issue of the capillary refill issue
11 that Dr. Tesser writes about in the notes.

12 A Yes.

13 Q I think we have already discussed that Dr. Doolan
14 did not write any notes pre operatively?

15 A That's correct.

16 Q And tell us about capillary refill and what it
17 means, what the tests and what it indicates?

18 A As I showed you before capillary refill is
19 demonstrated by proper way to do it is to elevate the leg
20 above the heart and put pressure on the toe for about five to
21 ten seconds and you take your finger off. You will see how
22 long it takes the toe to become pink again. It should be
23 less than three seconds. That's what we all accept. If it's
24 delayed that indicates that there is some kind of a vascular
25 disorder that it can be a blockage in the artery from

1 hardening or arthrosclerosis or vasospastic disease. You
2 know, some people exposed to cold or are nervous, their hands
3 and feet will go cold and get numb. So it could be
4 indicative of that also. But no matter what if you have this
5 finding, that there's delayed capillary refill or abnormal
6 capillary refill, you must investigate further. Especially
7 if you're planning to do surgery on somebody.

8 Q And as you described before there are tests one
9 can do in the office?

10 A Yes, sir.

11 Q Simple tests?

12 A Yes.

13 Q Are they expensive?

14 A No, they're not.

15 Q Are they tests that take a long time?

16 A Probably about 15 minutes.

17 Q Why is it important to know about any capillary
18 refill issue before a surgery?

19 A Well, we all know that it's the blood that brings
20 all the nutrients and oxygen to every part of our body. When
21 you have an injury it is important for nutrients and oxygen
22 to get there as well as white and red blood cells to help the
23 area heal. So having good circulatory stats is very
24 important.

25 Q Is it contraindicated to do a surgery that's going

1 to include the use of pins or K wire when one has a capillary
2 refill issue?

3 A What I would say the pins would have to be used
4 very judiciously in a patient that has capillary refill
5 issue. Number one, if we investigated and found that the
6 circulation was adequate that there was no blockage and
7 capillary refill issue was because of vasospasm, we would
8 have to be very careful about correcting the toe and putting
9 a pin in. As we see in this case the pin had to be removed
10 almost immediately after the surgery because the toe, there
11 was a vasospasm. So it's not an absolute contraindication.

12 Q In this particular case based on the fact that
13 there was a finding of capillary refill issue and then no
14 further tests were done, was it a departure to do a surgery
15 that included a K wire that then had to be removed
16 immediately following the surgery?

17 A Yes, I think it was. I think that we had to do
18 the testing before we did the surgery.

19 Q So doctor, to summarize this issue then, the pre
20 operative evaluation as it was done in this case was a
21 departure from good and accepted standards of podiatric
22 practice?

23 MR. KORNFELD: Objection.

24 THE COURT: I will sustain.

25 Q Was it a departure from good and accepted

1 podiatric practice to waive a pre evaluation when surgery was
2 done?

3 A Yes.

4 Q Was the surgery performed actually necessary in
5 this case?

6 A No.

7 Q Was other surgery indicated?

8 A Yes.

9 Q Was that other surgery done on January 3, 2003?

10 A No.

11 Q Doctor, let's move onto the surgeries themselves.

12 MR. KORNFELD: I am sorry, can we have the last
13 two questions read back.

14 (Requested portion of testimony read back.)

15 Q Now --

16 THE COURT: Let me ask you this: What was the
17 other surgery that should have been done?

18 THE WITNESS: An excision of the neuroma.

19 THE COURT: What would that be?

20 THE WITNESS: Excising the pinched nerve or
21 cutting the ligament to allow for more space.

22 THE COURT: I am sorry for interrupting.

23 Q Doctor, had they done the incision, had they
24 worked on the neuroma and done excision of the nerve, would
25 she have needed subsequent surgery on her toes 2 and 4 at

1 all?

2 A I believe not.

3 Q So can you tell us based on that what damages she
4 sustained because of the departure of pre evaluation,
5 departure of the first surgery?

6 MR. KORNFELD: Objection, your Honor.

7 THE COURT: What damages she sustained,
8 sustained as to form.

9 Q Let's try another way. Specifically based on
10 those departures what damage was sustained by Ms. Domizio?

11 MR. KORNFELD: Objection, your Honor. May we
12 approach briefly?

13 THE COURT: I will sustain as to what you mean
14 by what damage was done. Sustained.

15 Q Would she have needed the second surgery of
16 December 16, 2003?

17 MR. KORNFELD: Objection, your Honor.

18 THE COURT: Overruled.

19 A No.

20 MR. KORNFELD: Judge, it's beyond the scope of
21 disclosure, your Honor.

22 THE COURT: It's sufficiently within. I'll
23 allow it.

24 MR. KORNFELD: The expert disclosure.

25 THE COURT: I'll allow it. He said she didn't

1 need this operation at all.

2 MR. KORNFELD: That's not in the disclosure
3 either.

4 THE COURT: Approach a second.

5 (Whereupon, discussion held at side-bar off the
6 record)

7 THE COURT: Jurors I have to put something on
8 the record, a legal matter but you stay here, we'll go in the
9 outside hallway.

10 (Whereupon, proceedings take place outside
11 presence of jury)

12 THE COURT: The record will indicate we are out
13 of the presence of the jury now.

14 Now if I recollect correctly, Dr. Doolan
15 testified that the plaintiff presented with hammertoes and he
16 did hammertoe surgery. This doctor says that the plaintiff
17 was misdiagnosed, really didn't have hammertoes but had
18 neuroma, Morton's neuroma, and should have had surgery for
19 Morton's neuroma. Counsel, you're objecting to that
20 testimony?

21 MR. KORNFELD: We both are your Honor. First,
22 there is no nothing in the plaintiff's expert disclosure that
23 indicates the defendants failed to render a proper diagnosis.
24 In addition there's nothing that connects what the plaintiff
25 is allegedly about to ask of these departures with any of the

1 injuries. This is boiler plate.

2 MR. TRESCH: If you look at the expert
3 disclosure, the first couple of pages talks about departures
4 and then you get to Page 3 and it is the last paragraph and
5 the only mention of damages at all is the last three lines,
6 "re operating, performing arthroplasty is not indicated
7 effectively destroying joints."

8 That's all that's said about damages. There's
9 no indication that this witness would testify to connect any
10 of the patient's current symptoms or complaints to any
11 particular deviation the type of surgery the done, the manner
12 of surgery done. Anything that the witness has already
13 mentioned about deviation is -- it's just not -- there's no
14 indication that witness has any opinion about why the patient
15 currently has complaints and the patient testified about
16 various complaints short second toe, she talked about
17 discomfort when walking long distances. You go back to her
18 testimony Monday and there's all sorts of stuff. This
19 witness not only never saw the plaintiff but as of the expert
20 disclosure, never indicated he had an opinion about why the
21 patient still has complaints.

22 In addition, now because we heard about it
23 already this morning, this witness may be asked an opinion
24 regarding the IME of Dr. Greenberg.

25 THE COURT: Let's deal with one thing at a

1 time.

2 MR. KARAM: The expert response on Page 1 says
3 that the expert failed to address representing complaint of
4 plantar pain. That's exactly what he's talking about in
5 terms of neuroma. Top of the second page, "didn't provide
6 her with the information potential ideologies of the plantar
7 pain and reasons for proposed surgery."

8 That is the connection to the neuroma.
9 Admittedly, the word neuroma is not in the response but I
10 don't think it makes the response defective or precludes the
11 witness from testifying to it.

12 THE COURT: All throughout the response he is
13 talking about how the various departures caused her to
14 become worse.

15 MR. TRESCH: Where does it say that?

16 MR. KARAM: All over the place; "resulted in
17 worsening the condition, shortening, retraction and
18 dorsiflexion of the digit."

19 He did that in one fell swoop by saying the
20 surgery was not necessary. He's saying the same thing, all
21 be it differently than the way the attorney recorded it in
22 the expert response.

23 MR. TRESCH: The problem is he just said
24 "damages." What are you talking about, "damages?" There's
25 different things here.

1 THE COURT: So I will sustain it as to form
2 only but you can go into all these matters this that counsel
3 brought up.

4 (Whereupon, following proceedings in open
5 court)

6 Q Doctor I will ask you --

7 MR. KORNFELD: Judge, the objection is
8 sustained?

9 THE COURT: Sustained as to form.

10 Q Doctor, for the first and second -- were the first
11 and second surgeries necessary?

12 A No.

13 Q Let's discuss the first surgery itself?

14 A Yes.

15 Q You have an opinion within a reasonable degree of
16 podiatric certainty as to whether or not the surgery
17 performed by Dr. Doolan on January 3, 2003 was below the
18 standard of care?

19 A The surgery itself was not indicated by the
20 patient's complaints.

21 Q And as the surgery was performed, doctor, was that
22 performance of the surgery elements of the performance below
23 the standard of care?

24 A Using the pin in the fourth toe, doctor excised
25 bone at this joint and this joint in the fourth toe which

1 made that toes very unstable and it required the K wire, that
2 pin to go in to hold it in place. We know from the initial
3 visit to Manhattan that there was some problem with capillary
4 refill and that should have been investigated prior to doing
5 the surgery, prior to undertaking an aggressive bony
6 reception in that fourth toe. So I believe that that did
7 fall below the standard of care.

8 Q Was it an excessive amount of bone taken from the
9 second and/or fourth toe?

10 MR. KORNFELD: Objection.

11 THE COURT: Sustained.

12 Q Do you have an opinion as to the amount of bone
13 that was taken from the second and fourth toe during the
14 first procedure?

15 A Yes.

16 Q What is your opinion?

17 A The adequate bone was resected in the second toe.
18 The bone was resected through the surgical neck of the
19 proximal phalanx. I think the proximal phalangeal head --
20 first of all, you have to accept these weren't indicated, so
21 it's almost a moot point. Too much didn't have to be
22 resected, of course too much bone was resected. If the
23 surgery were indicated, that would have been an adequate bone
24 resection. In the fourth toe I think the bone resection was
25 over aggressive.

1 Q Was that a departure?

2 A Yes, in light of the necessity of the K wire it
3 was.

4 Q I want to ask you about the December 16th surgery;
5 all of the opinions are all within a reasonable degree of
6 podiatric surgery?

7 A Yes, they are.

8 Q On the second surgery on December 16th, do you
9 have an opinion as to where that surgery was done within the
10 standards of appropriate podiatric care accepted in podiatric
11 care?

12 A Yes, I do.

13 Q What is your opinion?

14 A My opinion is that it wasn't because doctor went
15 into correct the deviation of the toe, the upward deviation
16 of the toe by removing more bone. At this point excessive
17 bone was removed trying to correct that. You can look at the
18 post-op x-rays. You can compare them and see how much bone
19 was taken off. It was really far in excess of what should
20 have been done. Matter of fact, I don't think any bone
21 should have been resected. At that point soft tissue
22 recision would have been helpful at that point.

23 Q Would looking at the x-rays help you at this
24 point?

25 A Yes, I think it would.

1 If we look this is the post-op view and this is
2 the pre-op view and you can see that additional bone was
3 resected. You can see that that flare has been taken away.
4 It is difficult for you to see on this, because, again, it's
5 burned out. But you can see that there was the flare in that
6 head so additional bone was resected to try to drop this toe
7 down and you can see that large space there now.

8 Q That's the second toe?

9 A Yes, the second toe, yes.

10 Q That is the second surgery of December 16, 2003?

11 A Yes.

12 Q You see it in that x-ray right afterwards?

13 A Yes.

14 Q Doctor, I want you to assume there's been
15 testimony that additionally in the record on Monday that
16 there were additional x-rays taken between the first and
17 second surgery but the only x-ray in the file between those
18 dates essentially is one in August of 2003. Would the
19 availability of the other x-rays taken at Manhattan Footcare
20 would that have been helpful to you in your review of this
21 case to see exactly how the treatment was done?

22 A Yes, it would.

23 Q And the failure to have those, it would be -- and
24 those are normally kept in the ordinary course of a practice
25 right?

1 A Yes, they are.

2 Q And it is a departure not to keep x-rays in a
3 case, isn't that correct?

4 A Yes, it is.

5 Q Now based on that there are these other x-rays,
6 what value would they have had to the doctor or doctors
7 treating the patient between the first and second surgeries?

8 A What those x-rays would have done is documented
9 the progress or lack thereof of the patient after the
10 surgery. So they're important. You know, what you can see
11 is if the toe was in a good position at first and then
12 deflected or deformed after the surgery. You would see if
13 the toe was shortening. It gives you -- just like the book
14 you're reading, you turn it page by page and see what
15 happens.

16 Q Doctor, again, based on the January 16th
17 postoperative x-ray and looking at that toe number 2, what is
18 the future of that toe?

19 A We're talking from this point forward, what will
20 happen over time when we cut the bone there's bleeding and
21 this is now a void. This is an empty space in here. What we
22 do is sew the capsulae and tendon back around that empty
23 space but the bone bleeds when it is cut. That is why you
24 get the black and blue with a fracture. What happens here
25 you get a bleeding hematoma or blood clot in that space.

1 Over time that gets reabsorbed by the body and scar tissue
2 takes its place.

3 Now scar tissue or fibrosis will form and over
4 time that will contract. And what you have to appreciate is
5 that all the tendons on the top of the foot and bottom of the
6 foot pull the toes backwards that is why the toe reflects or
7 retracts. If time passes, three, six, eight months, this is
8 going to reabsorb and the scar tissue will mature. As it
9 matures, it contracts and shrinks down. So you would expect
10 the toe to come back toward the foot and shorten, as well as
11 move upward.

12 Q You say move upward, what do you mean by that?

13 A Dorsiflexion.

14 Q Approximately how long after the surgery does that
15 take to happen?

16 A Usually the shrinkage usually takes three to six
17 months after the surgery.

18 Q Doctor, you said you reviewed some e-mails from
19 Ms. Domizio from London in January immediately post-op of the
20 second operation. Did she -- what did she complain of in
21 those e-mails?

22 A Complaining of pain in the toe because the toe was
23 out of position and was rubbing against her shoe.

24 Q When you say "the toe", which toe?

25 A The second toe.

1 Q On the?

2 A Left foot.

3 Q And she complained about that as early as January
4 of 2003 -- I am sorry, 2004?

5 MR. KORNFELD: Objection, your Honor.

6 Q When did she complain about that?

7 A I don't recall.

8 Q Doctor, did you see Ms. Domizio's toe?

9 MR. KORNFELD: Objection.

10 THE COURT: Approach.

11 Q Doctor --

12 THE COURT: You want to amend?

13 MR. BASSIN: Yes. Your Honor may we approach.

14 THE COURT: Yes.

15 (Whereupon, discussion held at side-bar off the
16 record)

17 Q First of all doctor, based on your review of the
18 records and the x-rays would you expect that her toe would be
19 short today?

20 A Yes, I would.

21 Q And I want you, as a hypothetical doctor, I want
22 you to assume that her toe is short end and slightly raised,
23 toe number 2 on the left foot?

24 A Yes.

25 Q Do you have an opinion as to whether or not that

1 was caused by the first and/or second surgery with Dr.
2 Doolan?

3 A Yes, I do.

4 Q And what is that opinion?

5 A I believe it was absolutely caused by the first
6 and second surgery, the combination of both.

7 MR. BASSIN: Your Honor, subject to connection
8 this afternoon as to what Dr. Greenberg, who we subpoenaed in
9 this case also but by agreement, is coming in this afternoon
10 I would like to ask the doctor some questions based on what
11 he's going to testify to.

12 THE COURT: Yes.

13 Q Doctor, do you agree with the following: That Ms.
14 Domizio has pain in plantar --

15 MR. KORNFELD: Objection. This isn't
16 cross-examination.

17 THE COURT: I'll allow it depending on the
18 question.

19 Q That Ms. Domizio has pain in plantar
20 hyperkeratosis at the left third MPJ consistent with plantar
21 flexion third metatarsal and MPJ capsulitis?

22 MR. TRESCH: How can this witness agree or
23 disagree, he didn't examine her.

24 THE COURT: I'll allow you to get into that,
25 I'll allow it.

1 MR. TRESCH: He is reading a report by another
2 doctor.

3 THE COURT: The doctor will be in to testify.

4 MR. KORNFELD: Note my objection also.

5 THE COURT: You have an exception.

6 Q Do you agree with that?

7 A Yes, I do.

8 Q I want you to -- do you agree with the following
9 statement: Ms. Domizio has a Morton's neuroma, left third
10 interspace causing her numbness and pain in that region?

11 A Yes, I did.

12 Q Do you agree that Ms. Domizio has iatrogenic
13 shortened second toe due to her second surgery?

14 A Yes.

15 Q I have no further questions.

16 THE COURT: Cross?

17 MR. KORNFELD: Yes.

18 CROSS EXAMINATION BY MR. KORNFELD:

19 Q Doctor, do you also agree that the plaintiff in
20 this case has a normal heel-to-toe gait?

21 A I have not seen her walk.

22 Q Normal heel-to-toe gait is a good thing, correct?
23 I mean, that's what you want to have?

24 A Yes, it is.

25 Q Doctor, am I correct that your theory of this case

1 essentially is Dr. Tesser and Dr. Doolan were both wrong and
2 this patient did not have hammertoes?

3 A No, you're not correct.

4 Q What's that?

5 A No, you're not correct.

6 Q By the way doctor, hammertoes, it's not an
7 uncommon podiatric problem, correct?

8 A It is common podiatric problem.

9 Q It is something that you see in your office
10 frequently, correct?

11 A Yes, sir.

12 Q And it's something that when a patient walks in
13 you can actually see hammertoes, it's something that you can
14 see as a doctor?

15 A Absolutely.

16 Q It's not like heart disease or cancer or where you
17 need to take a test and get the test results when you say the
18 patient might have heart disease or cancer, correct?

19 A Yes, sir.

20 Q From a cosmetic standpoint hammertoes can be very
21 unattractive?

22 A Yes, they can be.

23 Q Often when patients have hammertoes they are self
24 conscious about how their feet look?

25 A Yes.

1 Q You probably have many patients who don't want to
2 wear open toe shoes because they have hammertoes or don't
3 want to walk on the beach because people may see their feet,
4 fair enough?

5 A Yes.

6 Q Hammertoes can be painful?

7 A Yes.

8 Q So in addition to being potentially ugly they can
9 also hurt a patient?

10 A Yes, sir.

11 Q In fact they can be so painful that sometimes
12 patients can't wear certain types of shoes right?

13 A Yes, sir, that's correct.

14 Q And they often can cause a patient to limit their
15 activities, fair enough?

16 A Yes.

17 Q You told this jury that in your opinion you
18 believe that in January of 2003 this patient should have had
19 neuroma surgery, correct?

20 A Yes, sir.

21 Q Now when someone has pain from a neuroma -- first
22 patients can have neuroma and not need surgery?

23 A That's correct.

24 Q There are many types of deformities that don't
25 need operation?

1 A Yes.

2 Q Bunions but may not have to operate?

3 A Yes.

4 Q So the mere fact that this patient in your opinion
5 had neuroma, that in and of itself doesn't mean she should
6 have an operation?

7 A That's correct. It should have been approached
8 conservatively first.

9 Q What you were telling the jury this morning was
10 January 3rd of 2003 she should have had neuroma surgery. Do
11 you recall that testimony yes or no?

12 A Yes, I do recall that but what I also recall --

13 Q Doctor, please.

14 A What I --

15 Q Doctor, please. You would agree you told this
16 jury you testified about three times a year?

17 A Correct.

18 Q What is the rate of compensation that you're
19 receiving today?

20 A \$3000.

21 Q \$3000. And you are also paid for the time you
22 spent before today reviewing the case and talking with the
23 lawyers?

24 A Yes.

25 Q How much did you charge for that?

- 1 A \$350.
- 2 Q Per hour?
- 3 A Yes.
- 4 Q How many hours did you spend reviewing this case?
- 5 A Five hours.
- 6 Q So that's about 15 or 17 hundred dollars, 1750, so
7 about 47 hundred dollars?
- 8 A Yes.
- 9 Q And you testified about three times a year in
10 court?
- 11 A Yes.
- 12 Q And you review about ten cases a year you said?
- 13 A Yes.
- 14 Q Each one is about five hours?
- 15 A It would be as little at 30 minutes or as much as
16 five hours.
- 17 Q So average about three hours?
- 18 A Probably less than three hours.
- 19 Q Hammertoes are a deformity, correct?
- 20 A Yes, they are.
- 21 Q Hammertoes are caused by different things,
22 correct?
- 23 A Yes.
- 24 Q They're caused -- some say that genetics play a
25 part in hammertoes?

- 1 A That's correct.
- 2 Q You are aware that her mother had hammertoes?
- 3 A Yes. She told me this morning.
- 4 Q And her mother had hammertoe surgery, you heard
- 5 that?
- 6 A Yes.
- 7 Q You told this jury that this patient had sharp
- 8 pain prior to the January 3rd surgery, correct?
- 9 A Yes.
- 10 Q Those are the words that you used, sharp pain?
- 11 A Yes.
- 12 Q Can you show us where in the records it says that
- 13 she had sharp pain because sharp pain may be consistent with
- 14 someone who has neuroma, correct?
- 15 A Yes.
- 16 Q And it may also be consistent with some who has
- 17 hammertoes, correct?
- 18 A No.
- 19 Q Well, maybe consistent with one who wears tight
- 20 shoes?
- 21 A Yes.
- 22 Q Show us where it says sharp pain.
- 23 A It doesn't. Patient presents to office with pain
- 24 left foot along with numbness.
- 25 Q So when you told the jury that she reported sharp

1 pain, that is something that you didn't get from the medical
2 records or from her deposition, correct?

3 A That's correct.

4 Q So that something that you created in order to
5 make your theory of this case more believable to this jury
6 here?

7 A No.

8 Q Doctor, you would agree that this patient tried
9 conservative treatment prior to having surgery with Dr.
10 Doolan?

11 A Not that I know.

12 Q You are aware that she wore pads under her feet
13 prior to surgery?

14 A Yes.

15 Q You are aware that she tried to wear more
16 comfortable shoes prior to the surgery?

17 A Yes.

18 Q You are aware that she limited her activity prior
19 to Dr. Doolan's surgery?

20 A Yes.

21 Q You are aware that doctors offered her orthotics
22 but she declined to get them?

23 A Yes.

24 Q Those are all examples of conservative treatment?

25 A Yes, they are.

1 Q And you are aware despite all those attempts the
2 patient still had complaints of pain, correct?

3 A That's correct.

4 Q By the way, when you have a neuroma, let's say
5 third and fourth interspace, a Morton's neuroma, if it's
6 symptomatic or painful because they're not always?

7 A That's correct.

8 Q If it is you would expect someone walking to try
9 to avoid that area?

10 A Yes.

11 Q They wouldn't put pressure on the area, correct?

12 A That's correct.

13 Q But you are aware that, doctor, that this case the
14 patient prior to surgery had hardened calluses under her
15 third and fourth toes because that is where she was bearing
16 weight, correct?

17 A I believe it was under the fourth toe.

18 Q You are also aware prior to the surgery she shaved
19 her own calluses?

20 A Yes.

21 Q And you're also aware that she had podiatrists
22 shave her calluses?

23 A Yes.

24 Q Those are all examples of conservative treatment
25 right?

1 A For a callus, yes.

2 Q One of the ways to treat hammertoes is by dividing
3 the corns and calluses, that's called conservative treatment?

4 A Yes, and the calluses would have to be on top of
5 the toes.

6 Q The corns could be on top of the toes and the
7 calluses might be on the bottom of the toes, correct?

8 A No. I believe the hammertoes --

9 Q If your answer is no that's fine. Hammertoes
10 usually stem from muscle imbalance, correct?

11 A That's correct, yes.

12 Q And they also stem from tendon imbalance, correct?

13 A Oh, yes. The muscles are connected to the
14 tendons.

15 Q So the answer is yes to my question?

16 A Yes, to both.

17 Q So people who have hammertoes have imbalances or
18 problems with their muscles and tendons of their feet,
19 correct, they're not functioning normally, fair enough?

20 A Yes.

21 Q Other causes of hammertoes might be improperly
22 fitting shoes?

23 A That's correct.

24 Q In fact neuroma could be caused by wearing
25 improperly fitting shoes, right?

1 A Yes.

2 Q And there are different types of digital
3 deformities, I think you mentioned Mallet toe. I guess from
4 a lay standpoint a doctor might tell a patient hammertoes but
5 under that category there's mallet toes and claw toes?

6 A Yes.

7 Q And those are digital deformities where there are
8 contractures at certain joints?

9 A That's correct.

10 Q Would you agree that calluses are systemic of a
11 problem of the bone?

12 A Could you rephrase that please?

13 Q Calluses are system have of a problem of the bone?

14 A I don't understand the question.

15 Q I want you to refer you to your website. You have
16 a website true?

17 A Yes, I do.

18 Q I want to read your section on calluses. The
19 actually are systemic of a problem with the bone?

20 A I believe that's mis-wording meaning connected
21 with, not systemic. I don't think that's good wording. Or
22 perhaps a result of.

23 Q Doctor, you would also agree that when you have
24 calluses symptoms can range from sharp, shooting pain to
25 dull, aching soreness?

1 A Yes.

2 Q And calluses typically develop under metatarsal
3 head?

4 A Yes.

5 Q Response to pressure?

6 A Yes.

7 Q And this patient had this prior to Dr. Doolan's
8 first surgery?

9 A She had the calluses under her second, third and
10 fourth metatarsal heads.

11 Q Other than that grammatical mistake, are there any
12 other mistakes in your website?

13 A Not that I am aware of.

14 Q You can develop painful calluses under the ball of
15 your foot due to the hammertoe, correct?

16 A That's possible sure.

17 Q Because it causes the hammertoe, causes the
18 metatarsal head to drop down and you described earlier --

19 A Yes.

20 Q And in this case you had those calluses as you
21 said pre operatively under the second, third and fourth toes,
22 correct?

23 A That's correct.

24 Q By the way, you are aware that nine weeks before
25 going to Manhattan Footcare Dr. Segal performed hammertoe

1 surgery on her?

2 A He performed some kind of a surgery.

3 Q Well, can you look at the note from August 8th --
4 October 8th in the chart?

5 A I have it in my hand.

6 Q You heard that at that time Manhattan Footcare a
7 doctor would write a short note and then a doctor would write
8 a more complete note later. You see 10/8/02 patient has
9 surgery nine weeks ago by Dr. Segal across the street?

10 A Yes.

11 Q What does that mean?

12 A I believe that it is metatarsal MPJ capsulotomy or
13 tenotomy. Doctor eluded to that later on in his notes or in
14 his deposition. Looking where the scars were placed it was
15 most likely capsulotomy, tenotomy.

16 Q Minimal incision surgery that's called?

17 A It's hard to say whether it was minimal incision
18 or open.

19 Q On October 8th the note also indicates that the
20 sites were consistent with the surgical sites consistent with
21 previous site of surgery?

22 A Yes.

23 Q So Dr. Tesser noted on October 8th that there was
24 a metatarsal capsulotomy on 2 through 5 and able to see where
25 the incisions were, correct?

1 A Yes.

2 Q That surgeon didn't use pins, correct?

3 A No.

4 Q That surgery failed, correct?

5 A I would have to say yes if she went onto further
6 treatment.

7 Q Well, she reported pain and numbness, increase in
8 pain and numbness since that last surgery so that means it is
9 a failure?

10 A Yes.

11 Q And the hammertoes were still present according to
12 Dr. Tesser and Dr. Doolan, correct?

13 A She had hammertoes.

14 Q That means that the surgery failed?

15 A I don't know that that was done for hammertoes.
16 There's nothing in the notes that said that that was for
17 hammertoes.

18 Q What other basis would there be for a doctor to
19 operate on toes 2, 3, 4 and 5 by metatarsal capsulotomy?

20 A Could be some tendon contracture.

21 Q Doctor, in reviewing these records you're
22 obviously asked to sometimes read between the lines and use
23 your years of the experience in interpreting records, right?

24 A Sometimes you have to.

25 Q And you would agree, doctor, that the patient had

1 hammer toe surgery nine weeks before?

2 A She had tenotomy and capsulotomy.

3 Q Most likely for the hammertoes?

4 A Yes, but nowhere in the record does it reflect
5 that.

6 Q You are aware, by the way, that the plaintiff went
7 to three doctors before Dr. Tesser and none of those doctors
8 ever told her she had a neuroma?

9 A Yes, I am.

10 Q Are those doctors all wrong?

11 A Perhaps she hadn't had the symptoms by that time.
12 You can develop the symptoms when she saw Dr. Tesser.

13 Q Other than numbness, other than numbness, which
14 Dr. Doolan attributed to the prior surgery, other than
15 numbness, what indication do you have in the records that she
16 had a neuroma on October 8th when she came to the office?

17 A The pain and numbness.

18 Q Well, pain can be consistent with someone who has
19 hammertoes?

20 A That's correct.

21 Q Pain could be consistent with someone who had
22 failed surgery nine weeks earlier?

23 A Yes.

24 Q A numbness could be consistent with someone who
25 had failed surgery nine weeks earlier too, correct?

1 A That is correct.

2 Q Surgery doesn't always work, correct?

3 A That is correct.

4 Q We know that Dr. Segal's surgery didn't work, fair
5 enough?

6 A That's correct.

7 Q And we know Mr. Day's surgery in London didn't
8 work either. By the way, none of the records that you have
9 reviewed other than that one note by Dr. Tesser indicate that
10 this patient has delayed capillary refill, correct?

11 A That's correct.

12 Q In fact, the Cabrini Medical Center in New York
13 City cleared her for surgery?

14 MR. BASSIN: Objection, your Honor.

15 THE COURT: Overruled. I'll allow it.

16 Q They cleared her for surgery, correct?

17 A Cabrini Medical Center?

18 Q It is a hospital in Manhattan.

19 A The one that closed, right. I was thinking you're
20 asking me if the hospital cleared her. I would imagine a
21 medical doctor cleared her for surgery.

22 Q An M.D. cleared her for surgery?

23 A That is what I would think, yes.

24 Q So is it your testimony that the medical doctor at
25 Cabrini improperly cleared her for surgery in January 2003?

1 MR. BASSIN: Objection, your Honor. Record is
2 not evidence.

3 THE COURT: Overruled.

4 A I think the medical doctor properly cleared her
5 for surgery, yes.

6 Q Doctor, you would agree there are risks to
7 hammertoe surgery?

8 A Yes, there are.

9 Q There are some known complications that are
10 reported in the medical literature correct?

11 A Yes.

12 Q For an arthroplasty, which Dr. Doolan did, some of
13 the known and accepted risks are lack of toe purchase?

14 A That's correct.

15 Q That's when the toe doesn't reach the ground?

16 A Yes.

17 Q That can happen even when the doctor does surgery?

18 A Yes.

19 Q Recurrence of the deformity is known and accepted
20 risk right?

21 A Yes.

22 Q So even if a doctor does surgery properly the
23 hammertoe can come back, correct?

24 A Yes it can.

25 Q Another potential risk is a shortened digit,

1 correct?

2 A That's correct.

3 Q So if a doctor does surgery properly the toe can
4 become smaller?

5 A Yes it could.

6 Q And another risk is flail toe where it becomes
7 floppy?

8 A That's correct.

9 Q And all the risks that I just told you are risks
10 that can occur in the best of surgical hands?

11 A That's correct.

12 Q You are aware, by the way, that Dr. -- excuse me,
13 Mr. Day in London used three pins?

14 MR. BASSIN: May we approach please?

15 THE COURT: All right.

16 (Whereupon, discussion held at side-bar off the
17 record)

18 Q So you are aware that Mr. Day used pins on the
19 second, third and fourth toes where he did surgery?

20 A Yes.

21 Q And you are aware that he didn't diagnose neuroma?

22 A Yes.

23 Q And you are aware that he did not do any neuroma
24 surgery, correct?

25 A Yes.

1 Q And you are also aware that a week after he
2 removed the pins the toes contracted and shortened?

3 A Yes.

4 Q You would agree that means that his surgery
5 failed?

6 A Yes, it did.

7 Q Now you told this jury you never examined
8 plaintiff, correct?

9 A I examined plaintiff this morning.

10 Q During the course of this lawsuit did you prepare
11 any report of anything?

12 A No, I didn't.

13 Q And you have learned that the plaintiff is
14 functioning quite well?

15 A Yes.

16 Q You know she's able to go to the gym, she uses the
17 elliptical machine, she bikes?

18 A Yes.

19 Q She has a normal heel-to-toe gait, correct?

20 A Yes.

21 Q You would agree -- well one of her complaints she
22 can't wear high heels, correct?

23 A Yes.

24 Q That was a problem that she had back in October of
25 2002 also, correct?

1 A I am not aware of that.

2 Q Well, you did review her deposition testimony,
3 correct?

4 A Yes, I did.

5 Q Assume there's been deposition and trial testimony
6 where she said she had trouble wearing heels and high heels?

7 A Accepted.

8 Q Would you agree that women invite foot problems
9 with high heels?

10 A So we're going to blame the victim? Do they
11 invite problems? High heels cause problems. I am glad I
12 don't wear them but I don't think they're bringing it on
13 themselves.

14 Q Let me just refer you to your website, doctor, the
15 one that's on the web, it says under your "high heels
16 section" you write "women invite foot problems with high
17 heels." Do you stand by those words?

18 A Yeah, they can cause problems.

19 Q Do you stand by these words?

20 A No, I'm going to revise that.

21 Q So now that's the second thing you want to change?

22 A I appreciate your help.

23 Q There are plenty more but I will just stick to a
24 couple.

25 A Let's do a few more.

1 Q I noticed, by the way, the bibliography where you
2 list books to read, there is not one book written after 1994
3 but you agree it's your obligation as a doctor to stay
4 current with podiatric medicine?

5 A Yes.

6 Q Now to say that this patient needed neuroma
7 surgery on January 3rd, 2003 is what you would describe as
8 jumping the gun, right?

9 A Yes.

10 Q Because the only indication that you have in the
11 notes and in the testimony that this patient had a neuroma as
12 of that time period was that she had pain and numbness which
13 two doctors attributed to a prior surgery, correct?

14 A Correct.

15 Q So you don't know either way as you're sitting
16 here whether this patient had a Morton's neuroma in January
17 2003 correct?

18 A Correct.

19 Q Orthotics would be helpful for Ms. Domizio as far
20 as you know?

21 A I think they would be.

22 Q Do you know why she wasn't wearing them the other
23 day?

24 A No.

25 Q You would recommend her to wear them?

1 A I most certainly would.

2 Q You are aware that her third toe on her right foot
3 underlaps her second toe?

4 A Yes.

5 Q That is a deformity?

6 A Yes, it is.

7 Q And that deformity is caused by muscle and tendon
8 imbalance that this patient has?

9 A Most likely congenital deformity.

10 Q Born with it?

11 A Just born with it, yes.

12 Q Fair to say that surgeons have to not only be
13 skillful but they have to exercise their judgment when
14 they're operating on a patient?

15 A That's correct.

16 Q For example, there are many different surgical
17 approaches that surgery can take when operating on a patient
18 for certain conditions?

19 A What do you mean?

20 Q For example, there are a hundred different ways to
21 correct a bunion?

22 A Yes.

23 Q And there are different ways to correct hammertoes
24 also?

25 A Yes.

1 Q We know, for example, Dr. Segal tried a metatarsal
2 and capsulotomy approach and that didn't work?

3 A Yes.

4 Q And you're not here to criticize that right? And
5 you know Mr. Day tried using three pins and that didn't work?

6 A That is correct.

7 Q A that is another approach. We know that Dr.
8 Doolan had a different approach; he did arthroplasty?

9 A Correct.

10 Q You are away that Mr. Day also removed bones?

11 A I believe he did an osteotomy.

12 Q That is where he did the metatarsal?

13 A No, in the phalanx.

14 Q The proximal phalanx?

15 A Yes. So he didn't --

16 Q There is no question right now doctor. You ever
17 do a redo surgery in are your practice?

18 A Yes, sure.

19 Q You have done it because other patients come to
20 you after a failed surgery by another doctor?

21 A Yes.

22 Q And you have done it on your own patients too?

23 A That is correct.

24 Q So the mere fact that you had to operate on a
25 patient the second time doesn't mean that you did something

1 wrong the first time right?

2 A Not necessarily.

3 Q But the patients that you have operated on a
4 second time after you have operated a first time did you
5 depart the standard of care the first time?

6 MR. BASSIN: I object.

7 THE COURT: Sustain.

8 Q We know from the pre-operative x-rays that the
9 patient has no fractures, correct?

10 A That is correct.

11 Q No dislocations?

12 A Correct.

13 Q No tumors, correct?

14 A Correct.

15 Q No bone diseases, correct?

16 A Correct.

17 Q No infections, correct?

18 A None on the bone, correct.

19 Q And no foreign objects in the foot, correct?

20 A Correct.

21 Q So the x-rays do give you some information,
22 correct?

23 A Yes.

24 Q And you told us and you would agree that the
25 doctor should not base his decision to operate on x-rays

- 1 alone?
- 2 A Absolutely.
- 3 Q A doctor doesn't treat x-rays, he treats patients
- 4 right?
- 5 A That's right, sir.
- 6 Q A doctor has to listen to the patient's complaints
- 7 correct?
- 8 A That's correct.
- 9 Q History of complaints, correct?
- 10 A Yes.
- 11 Q What they did to address those complaints?
- 12 A Yes.
- 13 Q Take x-rays and other tests?
- 14 A This is correct.
- 15 Q There are a number of different types of
- 16 hammertoes, correct -- well, for example, you're familiar
- 17 with the term flexion or stabilization?
- 18 A Yes.
- 19 Q And flexion or substitution?
- 20 A Yes.
- 21 Q And extension or substitution?
- 22 A Yes.
- 23 Q Extension or substitution, that could be observed
- 24 during the swing phase of gait?
- 25 A That's correct.

1 Q So that means for a doctor to diagnose that it's a
2 type of hammertoe, for a doctor to diagnose that, he has to
3 see the foot move?

4 A It's really not a type of hammertoe. It is an
5 ideology so that is --

6 Q Sorry to interrupt you. You can't see that on the
7 x-ray, you have to watch the person walk or put the patient
8 through a test with your hands?

9 A You have to watch the person walk, yes.

10 Q That is the same with extension or substitution
11 and flexion or stabilization?

12 A Yes.

13 Q As you're sitting here now, doctor, you would
14 agree that Ms. Domizio does not have a problem with her
15 capillary refill?

16 A Today this morning when I checked her foot she had
17 no problem with it.

18 Q You would agree that based upon your common sense
19 and reading between the lines the fact that she had four
20 surgeries by three different doctors at different
21 institutions that this patient probably doesn't have a
22 capillary refill issue, fair enough?

23 A Yes.

24 Q You would agree that Dr. Doolan exercised sound,
25 good medical judgment when he removed the K wire from her

1 fourth toe after the surgery?

2 A Absolutely.

3 Q Because if he didn't remove it if he ignored the
4 patient's symptoms and didn't remove it the fourth toe might
5 have become gangrenous?

6 A Yes.

7 Q And if it became gangrenous, you may have to
8 amputate?

9 A You would have to amputate it.

10 Q Obviously before testifying today you spoke with
11 the lawyers who retained you for this case?

12 A Yes, I did.

13 Q And I'm sure they told you that there are a few
14 discrepancies with the dates of service and the billing
15 records?

16 A We didn't discuss that.

17 Q You don't rely on billing records when you treat
18 patients?

19 MR. BASSIN: Objection.

20 THE COURT: Sustained. I'll allow you to ask
21 you what's good and accepted.

22 Q When a doctor treats patients, they don't rely on
23 insurance records, they rely on their own records?

24 A Yes.

25 Q So you would agree discrepancies in the billing

1 didn't harm the patient in any way?

2 A No.

3 Q And you would agree I think there's testimony an
4 x-ray or two might be missing from the chart, that didn't
5 harm the patient?

6 A Missing x-rays didn't directly harm the patient.

7 Q And the fact that two notes were written on each
8 date, that does harm the patient?

9 A No, just poor record keeping.

10 Q Actually, the second note is a lot easier to read.
11 The handwriting is a lot nicer?

12 A Yes.

13 Q So it's good record keeping to have neat
14 handwriting?

15 A You shouldn't have to write it twice. You should
16 do it right the first time.

17 Q Soft tissue contractures can occur after any
18 surgery. Have you heard of something called scar tissue
19 adhesions?

20 A Yes.

21 Q That can occur after surgery?

22 A Yes.

23 Q And that is something that a scar could be on the
24 outside or on the inside?

25 A The scar goes from the skin all the way down as

1 far as your cut.

2 Q And when a patient scars it is often unpredictable
3 correct?

4 A Yes, it is.

5 Q And it is even unpredictable in the patient, for
6 example, you can do a surgery on the second, third, fourth
7 and they can have more scar tissue on one of the other toes,
8 can happen right?

9 A Yes.

10 Q After you perform surgery and remove bone there
11 could be bone regrowth?

12 A Yes.

13 Q The fact that Ms. Domizio has the muscle and
14 tendon imbalance in her foot that caused the hammertoes in
15 the first place, you would agree that those issues were kind
16 of a life-long issue?

17 A Yes, I do.

18 Q The tendon the problems that she has that caused
19 her to have the hammertoes and deformities they're with her,
20 correct?

21 A Yes.

22 Q And that wasn't caused by any of the doctors
23 right?

24 A No.

25 Q Wasn't caused by Dr. Segal Dr. Doolan or Mr. Day?

- 1 A No.
- 2 Q You participate in continuing podiatric education,
3 doctor?
- 4 A Yes, I do.
- 5 Q It is part of a requirement as a podiatrist in New
6 York State?
- 7 A Just to enhance my education.
- 8 Q And you attend occasional lectures?
- 9 A Yes.
- 10 Q Go to the New York Clinical Conference?
- 11 A Yes.
- 12 Q That's conference where podiatrists go and listen
13 to lectures?
- 14 A Yes.
- 15 Q You obviously have respect for your professional
16 colleagues?
- 17 A Yes.
- 18 Q You will go to their lectures and listen to
19 advances and issues regarding podiatry and surgery?
- 20 A I do workshops also out in Illinois at the
21 Orthopedic Learning Center to enhance my surgical skills.
- 22 Q At times you may defer to other doctors if you
23 have questions?
- 24 A Yes.
- 25 Q You might even refer a patient to another doctor?

1 A Yes.

2 Q In New York there are some very well trained
3 podiatrists who you might consider authorities in the field?

4 A There are well trained podiatrists, yes.

5 Q And there are some leading authorities, correct?

6 A Authorities, I don't know. But there are plenty
7 of good guys here in New York.

8 Q Your old colleague Dr. Butler would be one of
9 them?

10 MR. BASSIN: Objection as to specifics.

11 THE COURT: Overruled.

12 Q Someone who you respect?

13 A Well -- I think you should move onto another name.

14 Q Dr. Treppel?

15 A Yes, very good guy.

16 Q Kevin Jules?

17 A Yes.

18 Q Excellent surgeon and good teacher?

19 A Both of those guys are excellent guys, good
20 surgeons, good guys, good teachers.

21 Q Would agree there are situations in medicine where
22 two different doctors can have different opinions as to how
23 best to treat a patient?

24 A Oh, yes.

25 Q Sometimes there could be more than one right way

1 to treat a patient?

2 A Yes.

3 Q That's often the case with surgery. Sometimes
4 different surgeons can have different approaches but both of
5 those approaches might be accepted ways of doing the
6 procedure?

7 A Yes.

8 Q More than one way to skin a cat?

9 A Yes.

10 Q I don't mean to speak disparagingly of any
11 animals.

12 A I have two cats at home you can skin.

13 Q You would agree people heal differently?

14 A Yes, I do.

15 Q You mention that you're at Methodist hospital now?

16 A Yes.

17 Q Department shares at hospitals or podiatric
18 supervisors at hospitals or surgery centers, you would agree
19 that they're often respected doctors in the community or at
20 the hospital?

21 A Yes most often.

22 Q They frequently teach and supervise other doctors
23 and residents?

24 A Yes.

25 Q They even may help formulate policy at

1 institutions?

2 A When I was a chief at Brooklyn Hospital, yeah, I
3 formulated the policy there and gave privileges. So yes,
4 that's what I --

5 Q That's something that chairman's and supervisors
6 do?

7 A Yes.

8 Q You have privileges at Grammercy Park Center?

9 A Yes.

10 Q You are aware that the clinical podiatric
11 supervisor since 2003 has been my client, Dr. John Doolan?

12 A Yes.

13 Q And that means he is the podiatrist in charge?

14 A Yes.

15 Q And he is in charge of quality assurance,
16 credentialing and delineation of the types of procedures that
17 doctors can perform there?

18 A That's correct.

19 Q So in some respects when you're at Grammercy you
20 work for him, fair enough?

21 MR. BASSIN: Objection.

22 THE COURT: I'll allow it.

23 A No.

24 Q You're under his supervision when you are at
25 Grammercy, not at the Brooklyn institution?

1 A Well, I will say that I have been there and I have
2 never seen doctor, I have nobody looking over my shoulder.
3 So I don't -- I am under his supervision. He does credential
4 me but under direct supervision I would have to say no.

5 Q I didn't say direct supervision but I will move
6 on. You are aware that Dr. Doolan, you read his deposition
7 and you are aware that he did some of his training at here in
8 Brooklyn at Inter-Faith Medical Center, correct?

9 A Yes.

10 Q And you don't have privileges at Inter-Faith?

11 A No, I don't.

12 Q Am I correct, doctor, that in May of 2008 which is
13 three months ago you testified in a case on behalf of a
14 plaintiff suing a doctor against Inter-Faith Medical Center
15 in this very building?

16 A Yes.

17 Q Is it your testimony that Dr. Tesser was mistaken
18 when he diagnosed the plaintiff with hammertoes on her
19 second, third, fourth and fifth toes on October 8th and again
20 on October 25th of 2002?

21 A There was no evidence in the x-rays that there
22 were hammertoes.

23 Q Do you think that Dr. Tesser made it up?

24 A I don't know how he came to that conclusion but we
25 can see there that there is no contracture of the toes.

1 Q Well, doctor, based on what you know about Dr.
2 Tesser and based on what you know about Dr. Doolan
3 professionally, based on what you know Dr. Segal did, you
4 would agree that this patient had hammertoes in October of
5 2003 wouldn't you?

6 MR. BASSIN: 2002.

7 Q 2002?

8 MR. BASSIN: First surgery was in 2003.

9 Q I will re ask question. You would agree based
10 upon what Dr. Segal did, what Dr. Tesser wrote down, Dr.
11 Doolan's testimony and what you know about him
12 professionally, that this patient most likely had hammertoes
13 when she presented to Manhattan Footcare in October of 2002?

14 A Yes.

15 Q And you would agree based upon those same factors
16 that she had hammertoes on her second, third, fourth and
17 fifth toes?

18 A If you include mallet toe as a hammertoe, yes.

19 Q You would agree there is a lot more persuasive and
20 reliable evidence in this case that this patient had
21 hammertoes in October of 2002 than a neuroma?

22 A That's hard to answer.

23 Q Let me make it easier for you Dr. Segal believed
24 this patient had hammertoes, correct?

25 A Yes.

1 Q He performed hammertoe surgery on five toes?

2 A And his surgery failed.

3 Q And he didn't diagnose the neuroma?

4 A That's correct.

5 Q When the patient presented in October of 2002 to
6 Manhattan Footcare. The only indication of nerve pain was
7 numbness, correct?

8 A Correct.

9 Q And numbness was consistent of someone as you told
10 us earlier who had previous surgery?

11 A It could be but numbness and pain were the things
12 that were suggested of a neuroma.

13 Q Numbness and pain were consistent with having had
14 surgery recently correct?

15 A It could be from that.

16 Q Numbness and pain are consistent with someone who
17 wears tight shoes?

18 A Could be.

19 Q You would not operate on a patient and remove a
20 neuroma because she said my foot is numb and it hurts and
21 there are hammertoes present?

22 A I would go through her history and work up before
23 I would decide to on a patient.

24 Q The amount of bone removal is judgment call,
25 correct, during surgery and arthroplasty procedure?

1 A Yes.

2 Q During the surgery did something called the step
3 wise approach?

4 A Yes.

5 Q That's when you reduce the contracture and if it's
6 reduced great, if not we go to the next level to try to
7 reduce it?

8 A That's correct. That's how we do a hammertoe.

9 Q And from reading the operative report, that's what
10 he did right?

11 A Yes.

12 Q Good, accepted practice?

13 A Yes.

14 Q You read the operative report?

15 A Yes.

16 Q January 2003 and December 16th?

17 A Yes, I did.

18 Q And just from the reading of the operative reports
19 those descriptions are both in accordance with good and
20 accepted standard of podiatric practice?

21 A Yes.

22 Q So if you read those operative reports you would
23 say good job, that's the way to do it, fair enough?

24 A Yes.

25 Q Am I correct that you have learned that, I think,

1 approximately a year ago the plaintiff was examined by Dr.
2 Greenberg?

3 A Yes.

4 Q And you learned that at that time she had a
5 neuroma?

6 A Yes.

7 Q And you would agree that there's no indication --
8 I will withdraw the question.

9 All the opinions you gave today have been to
10 the best of your ability?

11 A Yes, sir.

12 Q Other than going back and changing your website
13 we're okay?

14 A I think we are.

15 Q No further questions.

16 THE COURT: Counsel?

17 MR. TRESCH: Just a few and we're almost done.

18 CROSS EXAMINATION BY MR. TRESCH:

19 Q You understand Dr. Doolan testified that it was
20 his decision and his decision only to do the surgery? He
21 evaluated the patient pre-operatively and it was his
22 decision, not anybody else, to proceed with surgery on
23 January 3rd, 2003?

24 A Yes.

25 Q And it is the surgeon who's going to do a

1 procedure's responsibility to make that decision?

2 A Yes, it is.

3 Q Dr. Doolan, if he wanted, could have ordered more
4 x-rays prior to the January 3rd surgery?

5 A Yes, he could have.

6 Q You understand Dr. Doolan saw the patient and
7 examined her two perhaps three times before he did surgery on
8 January 3rd?

9 A From my reading of his deposition I think it was
10 only once.

11 Q He testified in this courtroom that he would have
12 seen the patient once and maybe twice in the office and the
13 second or third time doing pre-operative examination at
14 Cabrini on the day of the surgery?

15 A That maybe is the one that I'm questioning. So
16 maybe once.

17 Q Did you look at the notes at Cabrini?

18 A We know but you're asking me if he saw her three
19 times. I think he saw her once in the office that he
20 testified to and at pre-op in Cabrini.

21 Q You understand Dr. Doolan testified that he was,
22 he and only he, did the actual operation, both operations he
23 was the operating surgeon?

24 A Yes.

25 Q There are other people around, some nurses, other

1 doctors but --

2 A Assistive doctors, yes.

3 Q But he did the operations?

4 A Yes.

5 Q And you have no reason to dispute that do you?

6 A No.

7 Q You understand that Dr. Doolan after the first
8 surgery on January 3rd, 2003 followed the patient especially
9 from April 25, 2003 through December 16, 2003 seeing four out
10 of five times before the second surgery?

11 A Yes.

12 Q Because you saw the notes?

13 A Yes.

14 Q You understand that after the second procedure on
15 December 16, 2003 the Dr. Doolan followed the patient by
16 seeing her in the office on December 30, 2003 and then via
17 series of e-mails and you saw those too when she went back to
18 London?

19 A Yes.

20 Q You understand that the records at Cabrini
21 indicate by the examining physician, I think it was an M.D.,
22 cleared her for surgery indicating no vascular issues, do you
23 remember seeing that?

24 A No, I don't remember seeing that. There were no
25 vascular issues but I know she was medically cleared for

1 surgery.

2 Q And Mr. Day did surgery again in May of 2005
3 right?

4 A That's correct.

5 Q As did Dr. Doolan and Dr. Segal before?

6 A Yes.

7 Q Dr. Doolan could have ordered more post-op x-rays
8 between the two surgeries if he thought that was appropriate,
9 correct?

10 A Certainly.

11 Q Thank you.

12 THE COURT: Before we do redirect let me ask
13 you a question.

14 You just said that a moment ago that the
15 plaintiff when she presented in 2002 in fact did have
16 hammertoes, is that right?

17 THE WITNESS: These are mallet toes.

18 THE COURT: A version of hammertoe?

19 THE WITNESS: Yes.

20 THE COURT: Did she also have Morton's neuroma.

21 THE WITNESS: I believe she did with the
22 complaints that she presented with the numbness and pain.

23 THE COURT: All right. Fine. With that
24 counsel.

25 MR. BASSIN: May we have a short break for

1 three to five minutes.

2 THE COURT: Okay we will. Jurors a very brief
3 break. Use the facility and we will call you back.

4 (Brief recess taken.)

5 THE COURT: Bring in the jury.

6 (Jury entering)

7 THE COURT: Counsel redirect?

8 MR. BASSIN: Thank you very much.

9 REDIRECT EXAMINATION BY MR. BASSIN:

10 Q Doctor, is exercising bad judgment not good,
11 accepted podiatric practice?

12 MR. KORNFELD: Objection.

13 THE COURT: I will sustain as to form anyway.

14 Q Would you agree one can exercise judgment but when
15 it is bad judgment it is bad podiatric practice?

16 MR. KORNFELD: Objection, your Honor. This is
17 redirect, not cross-examination.

18 THE COURT: All right. Sustained.

19 Q Doctor, if one operated without proper work up
20 would that be in your mind bad judgment?

21 MR. KORNFELD: Objection.

22 THE COURT: I'll allow it. You can answer.

23 A Yes.

24 Q Would that be a deviation from accepted podiatric
25 practice?

1 A Yes.

2 Q There was some questions asked to you regarding
3 Dr. Segal's procedure some months before Ms. Domizio
4 presented to Manhattan Footcare. You never saw any operative
5 records from that did you?

6 A No, I didn't.

7 Q And nobody showed you that from the defense,
8 right?

9 A That's correct.

10 Q And the only evidence you know of is in the note
11 of Dr. Tesser on October 8th right?

12 A That's correct.

13 Q And he got that information from Ms. Domizio
14 right?

15 A Yes.

16 Q And then this was some statements made by Ms.
17 Domizio in her deposition, right?

18 A Yes.

19 Q And he didn't remove any bone did he, Dr. Segal?

20 MR. KORNFELD: Objection.

21 Q That you know of?

22 THE COURT: I'll allow it if you know.

23 A Having looked at the pre-op x-rays I didn't see
24 any bone removed so I would have to conclude that Dr. Segal
25 did not remove bone.

1 Q Now Mr. Day, when he operated on her toe in July
2 2005, he wasn't operating on hammertoes was he?

3 MR. KORNFELD: Objection.

4 THE COURT: If you know I'll allow it.

5 A No, he wasn't.

6 Q He was just operating on a toe she was complaining
7 was up in the air and painful?

8 MR. KORNFELD: Objection.

9 THE COURT: I will sustain.

10 Q What was he operating?

11 A He was operating on deformed toes that were the
12 result of the surgery.

13 Q Result of which surgery?

14 A Of the first and second.

15 MR. KORNFELD: Objection move to strike.

16 THE COURT: I'll allow it.

17 A That were a result of Dr. Doolan's surgeries.

18 MR. KORNFELD: Objection, your Honor. How is
19 this witness testifying as to records of the surgery that is
20 not in evidence?

21 THE COURT: You asked him about the surgery,
22 I'll allow counsel to ask him. Overruled.

23 Q Now the only evidence in the record regarding
24 capillary refill of any issues is Dr. Tesser's notoriety?

25 A That's correct.

1 Q And that was a positive finding of some issue with
2 capillary refill, is that correct?

3 A Yes.

4 Q And would that indicate any indication any other
5 test were done in that work up for any blood flow or
6 capillary problems?

7 A There are no indications of such tests.

8 Q Is it a problem and departure from accepted
9 practice not to do further testing at that time?

10 A Yes.

11 Q And is it a fact that there was in fact a blood
12 flow problem in the second in the fourth toe during
13 immediately post operatively in the first surgery?

14 A Yes, there was.

15 Q And now you testified that there was some
16 indication of hammertoes. Are all hammertoes necessarily
17 candidates for surgery?

18 A No.

19 Q Is there an indication based on what you have seen
20 in the evidence and the x-rays that these hammertoes were
21 candidates for surgery?

22 A I don't think they were candidates for surgery.

23 Q When you talk about hammertoes what do you mean by
24 hammertoes; could they run the gambit or what?

25 A Okay, you can have a flexible hammertoe -- a

1 hammertoe is the contracture of the toe where it's
2 dorsiflexed at the MPJ plantar flexed at the proximal
3 phalangeal joint. Some of these hammertoes can then be
4 flexible that you can take the toe and straighten it out with
5 your fingers or push up on the toe and it will straighten out
6 if you push up on the metatarsal head. There can be rigid
7 deformities that is where it stays fixed like that in that
8 hammertoe position. Those are the two broad
9 differentiations.

10 Q In this particular case do you have an opinion as
11 to what kind of hammertoe she may or may not had?

12 A They appear not bony deformities that they weren't
13 fixed. As a matter of fact there is very little evidence of
14 hammer into those toes on x-ray. We don't see contracture of
15 the metatarsal phalangeal joints, the joints back here in the
16 joint and we don't see narrowing of the joint spaces in the
17 metatarsal phalangeal joints or proximate phalangeal joints on
18 the pre-op x-rays.

19 Q You discussed in your testimony mallet toes. How
20 do they compare or differentiate from hammertoes?

21 A Mallet toes are a cousin or brother of a
22 hammertoe. A hammertoe is a contracture if the MPJ at the
23 proximal phalangeal joint while a mallet toe is contracture
24 at the distal part of the toe. If you have both of those
25 deformities together that is called a claw toe. Those are

1 three types of hammertoe deformities.

2 Q Do you know which toes were hammertoes and/or
3 mallet toes on the left foot prior to the surgery?

4 A From my recollection it was the third and fourth
5 toes appears to be contracted at the distant phalangeal
6 joints which would make them mallet toes.

7 Q Was there any indication or any indication that
8 the number 2 toe was either a hammertoe or in any way either
9 hammer or mallet toe?

10 A No.

11 Q And yet that toe was operated on also?

12 A Yes.

13 Q And bone was taken out of that toe?

14 A That is correct, sir.

15 Q Now doctor, there are comments that Dr. Segal did
16 a procedure again mentioned in Dr. Tesser's note.

17 Is that proof by itself that procedure and the
18 note that's there that he was operating on her for hammertoes
19 or that she needed hammertoe surgery from Dr. Segal?

20 A I am sorry, would you repeat that?

21 Q Just the note that Dr. Tesser has in the record,
22 it's an indication of Dr. Segal's second operation, right?

23 A Yes, correct.

24 Q Just based on that note itself, that note by
25 itself an indication or proof that Ms. Domizio had systemic

1 hammer toes that needed surgery back when Dr. Segal
2 purportedly operated on her?

3 A No.

4 Q Now, Mr. Kornfeld mentioned the name of series of
5 doctors who he said were illustrious or something to that
6 effect?

7 MR. KORNFELD: That's what the witness said not
8 what I said.

9 MR. BASSIN: He said you gave them all the
10 credit in the world.

11 THE COURT: Whatever was said he mentioned a
12 list of doctors.

13 Q If these doctors are hired by an attorney to come
14 in here to testify for the defense would that necessarily
15 mean that everything they said is the gospel and the only way
16 that podiatry can be done?

17 MR. KORNFELD: Objection.

18 THE COURT: I'll allow it.

19 A No.

20 Q You have gone to lectures of illustrious
21 podiatrists or podiatrists that are mentioned by defense
22 attorneys asking cross-examination questions of plaintiff's
23 witnesses and you have heard them lecture and have,
24 therefore, been occasions where you disagree with whether
25 they're saying during the lectures?

1 A Yes.

2 Q Nobody comes into court with a laurel on their
3 hair and God's opinion --

4 MR. KORNFELD: Objection.

5 THE COURT: Sustain that objection.

6 Q Now if a patient comes into see a doctor and says
7 that she has pain and numbness on her left foot what should
8 the doctor do to find out what is wrong with that patient;
9 should he do tests?

10 MR. KORNFELD: Objection, beyond the scope.

11 THE COURT: I'll allow it.

12 A Yes. It should be thoroughly investigated.

13 Q And was it done was it thoroughly investigated in
14 this case and in an appropriate and accepted podiatric way?

15 A No.

16 Q Mr. Kornfeld asked you about the step wise
17 procedure. He suggested to you that Dr. Doolan did the step
18 wise procedure?

19 A Yes.

20 Q And you saw in his operative note that he did a
21 step wise procedure in that first procedure?

22 A That's correct.

23 Q I want you to look a that second operative note
24 that's in evidence and I would like you to ask you, any step
25 wise procedure is noted in that particular surgery or did he

1 go immediately to cutting and removal of bone? That is, the
2 December 16, 2003 operative report.

3 A I found it.

4 Q Does that report indicate that a step wise
5 procedure was used?

6 A No.

7 Q Thank you very much doctor.

8 THE COURT: Anything?

9 MR. KORNFELD: I have some questions.

10 THE COURT: Sure.

11 RE CROSS EXAMINATION BY MR. KORNFELD:

12 Q Let me just touch upon few of the areas just
13 questioned about.

14 First, doctor, based upon what you know in this
15 case, all the records you reviewed and your training and
16 experience, you would agree that Dr. Segal performed
17 hammertoe surgery on the patient, correct?

18 A No. It's a tenotomy and capsulotomy.

19 Q To correct hammertoe deformities correct?

20 A I would have to assume that.

21 Q And that's your best guess based upon your
22 training and experience and your knowledge about this patient
23 reviewing all the records?

24 A Best guess, yes.

25 Q You would agree based upon the records of

1 Manhattan Footcare and the operative report on January 3rd of
2 2003 that the patient had a hammertoe on her left second toe
3 correct?

4 A That's what's indicated in the operative report.

5 Q Indicated in the operative report as well as the
6 records written by Dr. Tesser before the surgery, correct?

7 A That's correct.

8 Q So you would agree based upon your review of the
9 records, two different doctors make a diagnosis that this
10 patient had a hammertoe in her left second toe, correct?

11 A That's correct.

12 Q And that's the type of diagnosis that you told
13 this jury that can be made by looking at the foot, correct?

14 A That's correct.

15 Q You talked about pain and numbness, meaning that
16 the patient in your opinion based upon those two complaints
17 you believe the patient may have or have had neuroma,
18 correct?

19 A That's correct.

20 Q You would agree first as to pain, pain is
21 consistent with a lot of different conditions of the foot,
22 correct?

23 A Correct, sir.

24 Q Pain is consistent with someone who had surgery
25 recently?

1 A It could be.

2 MR. BASSIN: Objection, your Honor. I didn't
3 have anything in my redirect regarding the neuroma, nothing.

4 THE COURT: Nothing about the neuroma?

5 MR. KORNFELD: Judge, you actually questioned
6 the witness.

7 MR. BASSIN: In the direct or direct case?

8 THE COURT: After he finished questions after
9 cross.

10 Q So you would agree that pain is consistent with
11 someone who had surgery nine weeks or little longer ago?

12 A That's a possibility.

13 Q So the mere fact that she has pain doesn't mean
14 she has a neuroma, correct?

15 A That's correct.

16 Q Pain is also consistent with someone who has
17 hammertoes right?

18 A Pain can be a symptom of a hammertoe.

19 Q In fact, on your website you wrote under
20 hammertoes they may also feel pain in your toes or feet and
21 have difficulty finding comfortable shoes?

22 A That's correct.

23 Q And this patient had pain and difficulty finding
24 comfortable shoes, correct?

25 A Yes.

1 Q And those are both symptoms with someone with
2 hammertoe deformities, right?

3 A Difficulty finding comfortable shoes, yes. But we
4 don't know the location of pain. That was never stipulated.

5 Q If you don't know the location of the pain you
6 can't tell this jury to reasonable degree of medical
7 certainty that this patient had a neuroma in October of 2002
8 and January of 2003, isn't that correct?

9 MR. BASSIN: Objection to the question.

10 THE COURT: Overruled.

11 Q Is that correct, doctor, yes or no?

12 A No. Let me finish what I'm saying --

13 Q Doctor.

14 A No. Let me just --

15 MR. BASSIN: Would you let him answer the
16 question?

17 MR. KORNFELD: It is a yes or no question.

18 THE COURT: It is a yes or no question.

19 Counsel I'll allow you to go back on this topic.

20 Q Numbness, that is consistent with someone who
21 recently had surgery?

22 A Possible, yes.

23 Q The mere fact that this patient had numbness when
24 she presented to Dr. Tesser in 2002 that doesn't mean she had
25 a neuroma, correct?

1 A It doesn't mean that she doesn't. Doesn't mean
2 that she didn't.

3 Q Neuroma is more consistent with someone with a
4 burning, tingling pain. Correct?

5 A I am sorry, ask that again please.

6 Q I will move on. You would agree that based upon
7 everything you know, doctor, not just one thing but all the
8 records and your training and experience that in October of
9 2002 patient had hammertoe deformities 2 through 5 or cousins
10 of hammertoe as you described, correct?

11 A Correct.

12 Q And she had pain, correct?

13 A She had plantar pain, pain in the bottom of her
14 foot.

15 Q Did she have pain, yes or no?

16 A Yes.

17 Q And you agree that she tried conservative

18 treatment prior to the surgery in January of 2003?

19 A Yes.

20 Q And she still had pain, correct?

21 A Yes.

22 Q And there does come a time you would agree when a
23 doctor should operate on a patient when the patient has pain
24 not relieved by conservative treatment?

25 A Yes, that's correct.

1 Q You do it at least 200 times a year?

2 MR. BASSIN: Objection to what he does.

3 MR. KORNFELD: He testified to it.

4 THE COURT: I'll allow it.

5 A Yes. That's correct.

6 Q You mention Mr. Day's surgery at the end of
7 questioning?

8 A Yes.

9 Q Quite simply, you would agree that the plaintiff
10 testified his surgery was a complete failure?

11 A Yes, it failed.

12 Q Thank you.

13 THE COURT: Counsel?

14 RE CROSS EXAMINATION BY MR. TRESCH:

15 Q Doctor, I think you said it is up to the operating
16 surgeon to make sure there is a proper pre operative work up
17 of the patient; he has to make that determination before
18 proceeding to surgery?

19 A Yes, sir.

20 Q In this case it was Dr. Doolan, correct?

21 A Yes.

22 Q And if Dr. Doolan felt that further vascular
23 studies were needed to complete the pre-op work up he could
24 have ordered more studies?

25 A Yes.

1 Q And you understand he testified in court this week
2 that he examined the vascular status of the patient on two,
3 three occasions including the morning of the surgery and did
4 not find any reduced or delayed capillary refill?

5 A Yes. I understand that that was his testimony.

6 Q He could not confirm Dr. Tesser's finding of
7 October 8th?

8 A Yes.

9 Q Thank you.

10 THE COURT: Counsel redirect?

11 MR. BASSIN: Yes, your Honor few questions.

12 REDIRECT EXAMINATION BY MR. BASSIN:

13 Q Doctor, again, the patient came in complaining of
14 plantar pain, correct?

15 A Yes.

16 MR. KORNFELD: Your Honor, just object to the
17 continuing leading questions. Every question has been
18 leading.

19 THE COURT: Sustained as to leading in general
20 but I'll allow you to get to the topic.

21 Q Where was the pain she was complaining of?

22 A Plantar, on the bottom of her foot.

23 Q Complaining of pains in her toes?

24 A No.

25 Q Did you read her deposition where she stated --

1 MR. KORNFELD: Objection, your Honor.

2 THE COURT: Sustained as to form.

3 Q Where did she state the pain was like in the
4 bottom of her foot?

5 MR. KORNFELD: Objection.

6 THE COURT: I'll allow it.

7 MR. KORNFELD: That's not evidence your Honor.

8 THE COURT: I will sustain then.

9 Q Did you hear any -- I want you to assume that she
10 testified on Monday that she had a sharp pain in the bottom
11 of her foot.

12 MR. KORNFELD: Objection.

13 THE COURT: Jurors, it's your recollection that
14 shows whether or not she in fact testified to that but I'll
15 allow the hypothetical.

16 Q And Mr. Kornfeld talked about numbness and pain,
17 correct?

18 A Yes.

19 Q With that information would it have been
20 appropriate, acceptable podiatric care to do further testing
21 to find out exactly what that meant?

22 A Yes.

23 Q Did they do that in this case?

24 A No.

25 Q Did anything in the records that they wrote

1 specify where the pain was in her foot?

2 A No.

3 Q And did anything in those records show that they
4 did further testing to find out where the pain was and what
5 kind of pain was?

6 A No.

7 Q Is it acceptable would it be appropriate podiatric
8 practice before you operated on somebody to find out exactly
9 where the pain was and what was the cause of the pain and not
10 make a guess?

11 A Yes.

12 Q Thank you.

13 THE COURT: Counsel?

14 MR. KORNFELD: Thank you.

15 RE CROSS EXAMINATION BY MR. KORNFELD:

16 Q Do you think Dr. Doolan just guessed?

17 A No, I don't.

18 Q By the way, you would agree doctor, you were asked
19 to assume the plaintiff testified she had sharp pain. Assume
20 she didn't testify as to that, okay?

21 A Okay.

22 Q That would change your answer wouldn't it?

23 A Yes.

24 Q Thank you. No further questions.

25 THE COURT: Counsel anything?

1 MR. TRESCH: No questions.

2 THE COURT: Thank you very much.

3 (Witness exiting).

4 THE COURT: Okay jurors we're all done for the
5 morning see you back at 2:00.

6 (Whereupon, luncheon recess taken and afternoon session
7 taken by Official Court Reporter, Celena Edwards)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25